

COMMODITY FUTURES TRADING COMMISSION
SECURITIES AND EXCHANGE COMMISSION

JOINT ADVISORY COMMITTEE MEETING
ON EMERGING REGULATORY ISSUES

Washington, D.C.

Friday, November 5, 2010

1 PARTICIPANTS:
2 GARY GENSLER
2 Chairman, Commodity Futures Trading Commission
3
3 MARY SCHAPIRO
4 Chairman, Securities and Exchange Commission
4
5 JIM MOSER
5 Commodity Futures Trading Commission
6
6 GREG BERMAN
7 Securities and Exchange Commission
7
8 BART CHILTON
8 Commodity Futures Trading Commission
9
9 MICHAEL DUNN
10 Commodity Futures Trading Commission
10
11 Advisory Committee Members:
12 BROOKSLEY BORN
13 JACK BRENNAN
14 RICK KETCHUM
15 MAUREEN O'HARA
16 SUSAN PHILLIPS
17 DAVID RUDER
18 JOE STIGLITZ
19 Other Participants:
20 ROBERT COOK
21 DAN GRAY
22 ANDRE KIRILENKO

1 PARTICIPANTS (CONT'D):
2 SCOTT O'MALIA
3 STEVE SHERROD
4 DAVID SHILLMAN
5 ELSIE WALTER

6
7
8 * * * * *
9

10
11
12
13
14
15
16
17
18
19
20
21
22

P R O C E E D I N G S

(9:05 a.m.)

1
2
3 CHAIRMAN GENSLER: I want to welcome
4 everybody. Good morning. And call this, the 4th
5 Meeting of the Joint Advisory Committee on
6 Emerging Regulatory Issues, to order. The date is
7 November 5, 2010. This meeting is held in
8 accordance with the Government in Sunshine Act.
9 We're joined by the distinguished members of the
10 Advisory Committee. I think here with us today,
11 Susan Phillips, David Ruder, Maureen O'Hara, Jack
12 Brennan, Brooksley Born, and Rich Ketchum. What I
13 don't know is, do we have some committee members
14 on the phone?

15 MR. STIGLITZ: Yes, this is Joe
16 Stiglitz.

17 COMMISSIONER CHILTON: Mr. Chairman,
18 Bart Chilton, too.

19 CHAIRMAN GENSLER: All right, I know
20 that we have some commissioners on the phone as
21 well, Bart Chilton, but do we have any committee
22 members on the phone? Robert Engel was maybe

1 going to be joining us as well.

2 MR. STIGLITZ: Joe Stiglitz.

3 CHAIRMAN GENSLER: Oh, Joe Stiglitz is
4 on the phone. Thank you. Good to hear you, Joe.
5 And -- oh, I see, actually Rob Engel is out of the
6 country and unfortunately unable to join us. And
7 as we've done at our prior meetings of this
8 committee, my co-chair, Chairman Shapiro, and I
9 will share the presiding officer duties today.

10 First I'd like to offer Chairman Shapiro
11 the opportunity to make some opening remarks.
12 Chairman Shapiro.

13 CHAIRMAN SHAPIRO: Thanks, Gary. Let me
14 offer my thanks to all the committee members as
15 well for being with us today and express our
16 really tremendous appreciation for all you're
17 doing to help us on these issues.

18 When we last met, the staffs provided
19 you with a briefing and an update on their
20 investigation into the events of May 6th, and then
21 last month, as you all well know, the staff
22 completed their final report and presented it to

1 the two subcommittees for which it was prepared.
2 As you know, the report was not intended to
3 provide policy recommendations. Rather we asked
4 that the staffs provide a detailed account of the
5 events of May 6th, both to identify what happened
6 that day and to really inform ongoing policy
7 discussions. That report has been widely
8 recognized as providing the authoritative
9 analysis, and as I hope the committee will discuss
10 today, a lot of additional food for thought as we
11 move forward.

12 Now that you all have had time to review
13 the staff findings, I very much look forward to
14 hearing your views and what areas of focus you
15 think deserve the most attention from all of us.

16 I want to be sure that we're doing
17 everything we can to reduce the likelihood of
18 another May 6th. Already this past summer the SEC
19 approved a pilot program by the National Exchanges
20 and FINRA to pause trading in a security when its
21 price moves more than 10 percent in 5 minutes.
22 Initially limited to stocks in the S&P 500, these

1 circuit breakers were expanded to include Russell
2 1000 stocks and several hundred exchange traded
3 funds in September.

4 The SEC also approved market making
5 rules providing clarity when erroneous -- clearly
6 erroneous trades will be broken, and the staff
7 will be shortly approving rules regarding the use
8 of stub quotes as well as considering revising the
9 parameters for market wide circuit breakers. And
10 the staff continues active discussion with the
11 exchanges about modifying the single stock circuit
12 breakers to incorporate a limit up/limit down
13 banding mechanism.

14 All of these rules are interconnected,
15 both within the equities markets and across
16 equities and futures markets. This
17 interconnectedness lies at the foundation of many
18 of our other market structure initiatives at the
19 SEC as well and so I'm particularly interested in
20 your thoughts on these important topics.

21 Thank you, and I'll turn it back to
22 Chairman Gensler.

1 CHAIRMAN GENSLER: Thank you, Chairman
2 Shapiro for those remarks. I'll make a few
3 remarks myself and then turn it over to the
4 presenters and the committee.

5 I'm pleased to join Chairman Shapiro in
6 welcoming the members of the Joint Committee. I
7 would also like to thank Chairman Shapiro and her
8 fellow commissioners and all of the staff of the
9 SEC on their ongoing work with regard to May 6th,
10 but not only that, but also on the strong
11 collaboration that we have with regard to
12 Dodd-Frank and all that our two sets of
13 commissioners and staffs are doing together.

14 I'd also like to thank the staff at the
15 CFTC for all their hard work and planning this
16 meeting and furthermore I'd like to thank and
17 introduce -- I know that Commissioner O'Malia is
18 here. I think either Commissioner Summers and
19 Commissioner Dunn will be joining us and I know
20 that Commissioner Chilton is on the phone because
21 we heard that earlier. Is that right still?

22 COMMISSIONER CHILTON: Yeah, I'm here,

1 Mr. Chairman.

2 CHAIRMAN GENSLER: Terrific. And I know
3 this is a busy week for all of us, so it's
4 possible that Jill and Mike are unable to join us
5 just given all our rule writing and the FIA expo
6 still going on in Chicago.

7 Since the Joint Advisory Committee last
8 met, the CFTC and SEC staff did release this
9 supplementary report, I think it was October 1st,
10 and as outlined in the joint report there were at
11 least three chapters to the event, first, the very
12 fragile market environment that came into that
13 day, mostly out of Europe and the news events.
14 Secondly, the liquidity crisis in the E-Mini
15 futures contract and related index securities.
16 And then thirdly, the liquidity crisis in the
17 individual securities, sort of the breakage after
18 that.

19 Today's meeting is primarily for the
20 committee to hear of the work of its two
21 subcommittees and have an opportunity, as a full
22 group, to have an open discussion about May 6th

1 and their thoughts on what changes should be made
2 to ensure the markets work best for the investing
3 and hedging public.

4 Before we hear from the subcommittees we
5 will receive a brief update from the staff, not
6 only on the supplementary report, but also on some
7 of the recent rule proposals of each of our
8 commissions.

9 Some of the ideas that I look forward to
10 hearing from this expert panel is, foremost, I'm
11 interested in what the committee's views are on
12 how we protect the market integrity, both in light
13 of May 6th, but also in light of the changing
14 technology of the marketplace.

15 Secondly, and maybe more specifically,
16 what, if any, additional risk protection should we
17 consider with regard to the use of algorithmic and
18 high-frequency trading. And I do say, what, if
19 any, but I would like to have your thoughts.

20 Third, should we require exchanges in
21 executing brokers to update risk mechanisms? They
22 have many risk mechanisms in place now, but should

1 we ask them to update them and rules particularly
2 for market pauses? We have market pauses, of
3 course, in the futures marketplace, but should
4 they be updated in any way in the pilot program
5 that the SEC has?

6 And then lastly, what are the
7 committee's views on just the variety of actions
8 that we've taken recently whether it's the
9 Advanced Notice of Proposed Rulemaking that we've
10 put out on disruptive trading practices, or of
11 course your views on the pilot program, or any of
12 the actions that we've taken recently.

13 Today's discussion will be open and
14 candid. I know the committee has not formed its
15 final recommendations. This is part of a
16 deliberation process but I would hope that your
17 deliberations continue abreast and promptly and we
18 could get your thoughts soon.

19 So, with that, again, I want to thank
20 Chairman Shapiro and the SEC, my fellow CFTC
21 commissioners and staff, and so forth. I think I
22 turn it back?

1 CHAIRMAN SHAPIRO: Yes, thank you.
2 Thanks, Gary. Today we are pleased also to be
3 joined by SEC Commissioner Elise Walter, who I
4 will add is a former general counsel of the CFTC
5 and so back on familiar territory, and of course
6 by the staff of the CFTC and the SEC who worked
7 tirelessly on the report issued back in May, but
8 also the one issued most recently on October 1st,
9 and did, I think, a really outstanding job, and
10 you have all of our tremendous appreciation for
11 that effort.

12 In addition to a brief discussion of the
13 response to the report, they're going to provide
14 us with information concerning the most recent
15 actions by the two agencies regarding the matters
16 raised in the report, and then in addition, we'll
17 also hear reports from both the subcommittees, as
18 Gary said, on cross-market linkages and on
19 pre-trade risk management and then the full
20 committee will have the opportunity to discuss
21 potential recommendations and how we might move
22 forward.

1 So, with that, let me introduce Gregg
2 Berman of SEC and Andre Kirilenko of the SFTC to
3 walk us through current initiatives.

4 MR. KIRILENKO: Thank you, Chairman
5 Shapiro, Chairman Gensler, members of the Joint
6 Advisory Committee, and commissioners of the CFTC
7 and the SEC. Thank you very much for the
8 opportunity to be here.

9 The purpose of my presentation today is
10 not to describe for the public -- already had a
11 good chance to absorb about the report, but to
12 touch on some of the broad themes and clarify some
13 of the issues that might be useful for the
14 subsequent discussions of the Joint Advisory
15 Committee.

16 As Chairman Gensler said, and as the
17 report has laid out, the events of May 6th can be
18 grouped into three broad themes, one is the
19 overall market uncertainty, the second is a large
20 cell program event in E-Mini, and the third is the
21 propagation of volatility to other markets and
22 securities.

1 I will specifically focus on the
2 liquidity event in the E-Mini. As a reminder, the
3 joint staff report stated that a large fundamental
4 trader chose to execute a cell program of 75,000
5 E-Mini contracts via an automated execution
6 algorithm, that was programmed to feed orders into
7 the E-Mini market to target an execution rate of 9
8 percent of the trading volume calculated over a
9 period of time but without regard to price for a
10 time.

11 This statement is based both on the
12 analysis of audit trail data and on several
13 detailed conversations with the executor and
14 broker of the large-scale program. As background
15 I'd like to remind you that the extraordinary
16 detailed information that -- about business
17 transactions and positions of market participants
18 that was included in the report, was included to
19 the request of Congress. Congress explicitly
20 requested the staffs of the two agencies to
21 include in their report sufficient information and
22 detail to the extent and only to the extent that

1 such information is necessary to provide an
2 accurate and complete description of the May 6th
3 events and their causes.

4 For clarification purposes, however, it
5 might be appropriate to say that the statement
6 without regard to price or time should not be
7 interpreted as a (inaudible) to saying that the
8 automated execution algorithm did not use
9 information about transaction prices or times when
10 it submitted or modified sequences of orders. The
11 automated execution algorithm is designed to feed
12 limit orders, a limit order must specify price.
13 The algorithm is also designed to calculate and
14 recalculate limit order prices and quantities over
15 specified periods of time. With the statement
16 "without regard to price or time" means is that
17 the trader did not use an effective overall price
18 or time limit for the execution of the sell
19 program.

20 While automated execution algorithms may
21 permit traders to use such features, this trader
22 elected not to use a price limit and also did not

1 establish a minimum time period for the execution
2 of the order.

3 This automated execution algorithm
4 executed orders against other market participants.
5 The staffs have collected significant quantitative
6 and qualitative information about the activity of
7 market participants who were active on May 6th,
8 including high-frequency traders, market makers,
9 fundamental traders, and cross-market arbitrages.
10 The staffs participated in a number of
11 conversations with high-frequency traders and
12 other market participants and has the data and
13 analytics to exactly describe who and how provided
14 and took liquidity in the E-Mini market.

15 The larger trader and other market
16 participants traded on the CME's Globex, an
17 electronic limit order market. The staffs had a
18 number of conversations with the CME and other
19 exchanges regarding the details of the risk
20 management functionality designed to deal with
21 extreme price movements. Examples of such
22 functionality include trading pauses and price

1 quantity bans on the orders that are allowed to be
2 submitted.

3 As a result, the staffs have a very good
4 understanding how the price impact of a large sell
5 of them cascaded to other markets and individual
6 securities and the large role liquidity providers
7 and liquidity takers played in that process.

8 I will now turn the floor to my
9 colleague, Gregg Berman, from the SEC.

10 COMMISSIONER BERMAN: Thank you, Andre.
11 For the equity side of the markets I'd like to
12 spend a few minutes highlighting some of the
13 larger themes that came out of our report and
14 relating those themes to some of the actions,
15 either recently taken by the SEC or that are
16 currently underway.

17 The first big theme comes of course from
18 the linkages between the futures and the equity
19 markets. As we know both from data and from
20 interviews, as the E-Mini declined on May 6th --
21 on the afternoon of May 6th, market participants
22 were buying E-Mini and selling equivalent

1 exposure, either through ETFs or through
2 individual baskets, of stocks.

3 Those linkages kept the two markets in
4 sync and as one declined, so did the other.
5 Market pauses or market wide circuit breakers were
6 not triggered on that day and one would imagine
7 that under times of severe stress that's exactly
8 when we would need such triggers. So, one of the
9 first actions that we've been taking is working
10 both with market participants throughout the staff
11 of the SEC and the staff of the CFTC on revisiting
12 market wide circuit breakers.

13 Some of the ideas that we are
14 considering include changing the levels at which
15 the circuit breakers are triggered, changing the
16 time parameters that caused the circuit breakers
17 to trigger, and even considering possible changes
18 to the index that triggered the circuit breaker
19 themselves. I know that the Joint Advisory
20 Committee has also spent a lot of time thinking
21 about this and we very much look forward to their
22 thoughts on this matter.

1 The second big theme that came out of
2 the report was that liquidity providers and market
3 makers, internalizers in the equity markets, all
4 pulled back. Some of the reasons that were given
5 for their pull back were quite varied, included
6 the fact that there were rapid price moves that
7 caused automated stops in systems. The ability to
8 trade securities under very volatile circumstances
9 was a concern, so market makers pulled back
10 because of that. The ability to trade ETFs and
11 tie the price of an ETF to the price of the
12 underlying stocks was also a concern during times
13 of volatile movements. Becoming too long as
14 market prices fell and in certain securities as
15 market prices rose, becoming too short, was also a
16 concern. We use the phrase catching the
17 proverbial knife or standing in front of a moving
18 train caused market makers to pull back. Rapidly
19 changing PNLs, intra-day PNLs caused them to
20 pause. Concerns about broken trades caused them
21 to pause, and a number of market participants said
22 that they had feared some unknown cataclysm that

1 was causing prices to move so fast and just chose
2 to basically sit out the rest of the afternoon.

3 All of those different themes might lead
4 to different policy in order to address those, but
5 the one thing that we do know is that when trading
6 occurs under circumstances where the market makers
7 pull back, you get very disorderly prices. So one
8 of the first things that we've done at the SEC is
9 put in individual market circuit breakers,
10 individual stock circuit breakers. As the
11 chairman has mentioned, those circuit breakers
12 have been rolled out to not just the S&P 500
13 stocks, but to the stocks in the Russell 1000 as
14 well as to a bunch of underlying ETFs.

15 Clearly erroneous trades were also a
16 factor on that day, both the trades that were
17 broken and the concerns about market participants
18 that trades would be broken, and uncertainty in
19 what those levels would be, would the trades be
20 broken, would the trades not be broken. Market
21 participants do not like to trade if there is a
22 potential that the trade itself will be broken

1 because they're trading both sides of the market
2 at the same time.

3 So, from those themes come both the
4 rules on clearly erroneous trades and rules on
5 circuit breakers.

6 The third theme that came out was that
7 trades were certainly executed -- some trades, at
8 least -- at very absurd prices of a penny or a
9 tenth of a penny or even one- one hundredth of a
10 penny. The big issue there is the use of stub
11 quotes which are automated quotes that are
12 provided by either the exchanges or the market
13 makers when they pull back.

14 We have forthcoming rules from the
15 exchanges and FINRA to address just this -- just
16 this case of stub quotes. The rules basically

17 describe parameters by which stub quotes, or which
18 quoting bans can be used in order to prevent
19 prices from falling as rapidly as they did, and
20 those rules, I think, we will see in the upcoming
21 weeks.

22 The fourth large theme has to do with

1 high- frequency traders. The report that we
2 provided was not a discourse on high-frequency
3 trading or on mechanisms of market structure, but
4 we did highlight, at least on May 6th, some of the
5 behavior of high-frequency traders. High
6 frequency trading has been a highlight, certainly,
7 in the press and in fact there was a piece on
8 high-frequency trading on 60 Minutes a few weeks
9 ago.

10 There are lots of different views about
11 high- frequency trading in the market but what we
12 have found, at least on May 6th, is that the
13 situation is perhaps a little more complex and
14 nuanced than we might have thought. During the
15 afternoon of May 6th, high-frequency traders were
16 both providing significant amounts of liquidity,
17 but also taking significant amounts of liquidity
18 and on a volume basis, approximately half of their
19 orders take liquidity and half of their orders
20 provide liquidity.

21 We do not have evidence that suggests
22 that they had triggered or they had caused or

1 created a wave of selling, but we also do not have
2 evidence that they have prevented the wave of
3 selling from continuing or stabilized prices.
4 They basically traded. They traded on the way
5 down, they traded on the way up, and they traded
6 along with the rest of the market. They traded
7 with a high frequency, hence the term
8 high-frequency traders.

9 After the market declined and on the way
10 back up, some of the high-frequency traders sat
11 the rest of the afternoon out or withdrew some of
12 their liquidity, others actually increased the
13 amount of trading that they did. So, again, it's
14 a very nuanced circumstance and I don't think we
15 can classify all HFTs as either being in one
16 bucket or a second bucket.

17 We spent a lot of time rebuilding the
18 order books. It took many months to rebuild the
19 order books in the equities markets. That meant
20 going through each exchange as well as the fees
21 from FINRA looking at all the buy orders, the sell
22 orders, and putting together a comprehensive view

1 of what the market looked like. Overall we did
2 not see a liquidity crisis in the stock market
3 that somehow proceeded or started that morning.
4 It was really -- the liquidity crisis was a result
5 of market participants who were pulling back that
6 afternoon.

7 The most important thing, I think, that
8 we actually learned from rebuilding the order
9 books was just how hard it is to rebuild order
10 books in the equity markets and why it actually
11 took so long in order to do that. To try to
12 address some of those issues in the future and to
13 allow us to have a much quicker response and a
14 better handle, perhaps even in real time, there
15 are a number of forthcoming rules, such as the
16 consolidated order trail and large trader
17 reporting that will allow the SEC to gather
18 information in or at near real time in order to
19 make these types of tasks a lot easier.

20 In addition, we have recently gone to
21 the market with an RFI or request for information,
22 for vendors who provide tools that allow the

1 aggregation of order books, in real time, to come
2 into the SEC, make some presentations so that we
3 can get a sense of what tools are available with
4 the possibility of procuring such tools in the
5 future.

6 We also spent a significant amount of
7 time in the report -- both in the preliminary
8 report and the final report -- on some of the
9 disparate nature of trading at different venues.
10 In particular we spent time on understanding
11 exactly how the New York Stock Exchange use of
12 liquidity replenishment points work, so-called
13 LRPs. LRPs are bans that are designed to pause
14 trading in individual stocks if the price moves
15 beyond certain pre- specified limits.

16 In general, I think we had mixed
17 reactions from different participants. Most of
18 the participants that we spoke to said they had no
19 problems with routing around New York Stock
20 Exchange as the stocks themselves and the quotes
21 went slow. However, the fact that there were so
22 many LRPs triggering that afternoon certainly

1 contributed to the fact that people really got a
2 sense of -- the market participants got a sense of
3 just how volatile their circumstances were.

4 For the majority of stocks that have
5 broken trades, LRPs were not an issue because
6 those are not traded on the New York Stock
7 Exchange, not listed on the New York Stock
8 Exchange. But for those trades -- for those
9 securities that had broken trades, we had found
10 that most of the securities did not have
11 sufficient liquidity on the New York Stock
12 Exchange to have absorbed the trading that
13 ultimately lead to stub quotes. But some did, so
14 it's not 100 percent that there was no effect.
15 There was most likely a small effect for at least
16 some of the stocks.

17 Perhaps more importantly in thinking
18 about LRPs was not what happened on May 6th, but
19 how do we generalize LRPs, perhaps to things that
20 we might do in the future? Specifically, we have
21 learned a lot about how circuit breakers work and
22 operate during the pilot program that we've had

1 over the summer by carefully examining every one
2 of the circuit breakers, the circumstances that
3 lead up to any of the circuit breakers that have
4 triggered. I believe about a dozen circuit
5 breakers have been triggered since the pilot
6 program went into effect.

7 If we couple the information that we
8 learned from that with what we learned about
9 different trading practices at markets about
10 banding and about LRPs leads us to formulate some
11 next generation circuit breakers that are focused
12 more on limit up/limit down, how do we prevent a
13 stock from going into a circuit breaker mode
14 before the price moves instead of after the price
15 moves. So, limit up and limit down is something
16 that we've been considering both at the SEC as
17 well as with a number of industry participants and
18 as a bonus, limit up/limit down also should
19 directly address things like stub quotes and
20 clearly erroneous trades.

21 The last large theme, I think, that
22 comes out of the report is the use and the high

1 bars that people have around data. What we have
2 -- what we did observe was that there were delays
3 on the public feeds, the consolidate quotes and
4 the consolidated tape feeds, on May 6th and while
5 that may have affected users directly of those
6 feeds, we also found that many of the market
7 participants who are providing liquidity choose to
8 use the direct feeds from the exchanges
9 themselves, the so-called proprietary feeds.
10 Nevertheless, folks who use, and market
11 participants who use the CQS and CTS have to know
12 that those feeds themselves are extremely reliable
13 and that there are no delays.

14 We worked very carefully with the
15 exchanges on trying to understand the source of
16 the delays that occurred on that day and we do not
17 have any data or evidence at this point to suggest
18 that one or more parties purposely flooded the
19 market with quotes for the express purpose of
20 trying to delay. We believe that the delays were
21 caused by servers that were not yet upgraded and
22 general very high volumes of traffic as people

1 were trying to trade during very, very disruptive
2 market conditions.

3 Nevertheless, over the summer and
4 continuing, we have worked with the exchanges in
5 trying to not only revisit May 6th, but also look
6 at other days of very high quote traffic to try to
7 ensure that the exchanges and the SROs have the
8 capacity, to make sure that they can handle any of
9 the quote traffic that comes out there, as well as
10 looking at any potential quoting practices that
11 might be manipulative.

12 In order to address some of those
13 issues, we have thought about not only the RFI
14 that we have so that we can see the data in real
15 time in things like consolidated audit trail and
16 large trade reporting that will help us examine
17 what parties are doing what, but as recent as
18 yesterday, I think, or two days ago, the SEC
19 passed rules regarding sponsor access by which
20 algorithmic trading can occur through a broker in
21 a form that is no longer completely naked, where
22 the trades go in directly but that the broker

1 would actually have some responsibilities and
2 oversight about ensuring certain risk management
3 and other procedures to have some oversight about
4 those algorithms themselves.

5 Thank you.

6 CHAIRMAN GENSLER: Steve?

7 MR. SHERROD: Thanks, Greg. I'm going
8 to briefly summarize two actions taken by the CFTC
9 last week. In the first action the CFTC approved
10 a proposed rule that would expand the prohibition
11 of market manipulation of swaps. Generally the
12 proposed rule makes it unlawful for any person to
13 defraud another in connection with any swap.
14 Further, the proposed rule makes it unlawful for
15 any person to manipulate or attempt to manipulate
16 the price of any swap.

17 In the second action, the CFTC approved
18 an advanced notice of proposed rulemaking on
19 disruptive trading practices. Congress amended
20 the Commodity Exchange Act to expressly prohibit
21 certain trading practices that Congress determined
22 were disruptive of fair and equitable trading.

1 The amendments make it unlawful for any person to
2 engage in any trading practice or conduct on or
3 subject to the rules of a registered entity that,
4 one, violates bids or offers; two, demonstrates
5 intentional or reckless disregard for the orderly
6 execution of transactions during the closing
7 period; or three, is of the character of, or is
8 commonly known in the trade as spoofing, that is,
9 bidding or offering with the intent to cancel the
10 bid or offer before execution.

11 Congress also granted the Commission
12 authority to promulgate such rules and regulations
13 as, in the judgment of the Commission, are
14 reasonably necessary to prohibit any other trading
15 practice that is disruptive of fair and equitable
16 trading. The notice encourages the commenters to
17 address a number of specific questions, I'll
18 provide you two: Should the Commission specify an
19 additional disruptive trading practice concerning
20 the disorderly execution of particularly large
21 orders during periods other than the closing
22 period? And second, should the Commission

1 promulgate additional rules specifically
2 applicable to the use of algorithmic trading
3 methodologies and programs that are reasonably
4 necessary to prevent the algorithmic trading
5 systems from disrupting fair and equitable
6 trading?

7 That concludes my summary.

8 CHAIRMAN GENSLER: And I think that
9 concludes the panel. And so I want to thank Gregg
10 and Andre and Steve and it was really -- as an
11 opportunity, I just wanted to give our committee
12 members an opportunity to ask questions and have a
13 discussion with our staff and then after that
14 we'll go to the subcommittees.

15 Susan?

16 MS. PHILLIPS: I didn't quite follow the
17 point you were making about the fees. What was it
18 you were -- the point you were trying to make with
19 the fees?

20 COMMISSIONER BERMAN: Sure, so this
21 refers to the pricing feeds for trades and for
22 quotes that are accessible by the public directly,

1 the so-called consolidated tape. The consolidated
2 tape, trades on that tape were delayed for certain
3 symbols on the New York Stock Exchange on May 6th.

4 CHAIRMAN SHAPIRO: Gregg, I think --
5 Susan, I think Greg said feeds, F-E-E-D-S, not
6 fees.

7 COMMISSIONER BERMAN: Oh, I'm sorry.
8 Oh, feeds as opposed to fees.

9 CHAIRMAN SHAPIRO: I think that's the
10 source of the confusion.

11 MS. PHILLIPS: I could not get the
12 connection.

13 CHAIRMAN SHAPIRO: I can see why.

14 CHAIRMAN GENSLER: Maureen?

15 MS. O'HARA: Andre, I just wanted to
16 follow up on the role that the large trade played
17 in precipitating the crash. As I read your
18 report, it seemed as if the report was saying that
19 perhaps this is the straw that broke the camel's
20 back, that there were already liquidity issues in
21 the markets that day and this large trade came
22 along and obviously we can't replay history and

1 said, if that trade never happened, we would --
2 may have not had a crash, but is it the case that
3 that interpretation is correct or is it the case
4 that your view is that that trade is in fact the
5 cause of the crash or just more correlating with
6 the beginning of the crash?

7 MR. KIRILENKO: Thank you, Maureen.
8 There were 15,000 trading accounts trading on that
9 day in the E-Mini. One trading account, as we say
10 in the report, conducted the largest change in
11 that position of the year up to that point in a
12 matter of 20 minutes, which happened to coincide
13 with the onset of the extreme move in volatility.
14 We did examine the execution profiles of pretty
15 much all trading accounts -- all of them -- all
16 thousands of them -- and we grouped them into
17 categories, because some of them behaved very
18 similarly and, therefore, it was justified to put
19 them into categories, and then we looked at how
20 they interacted.

21 The way that, as you know, these markets
22 work, and the way that this particular trade was

1 done, as the report states, was done through an
2 automated execution program. An automated
3 execution program is designed, they're generally
4 designed not to be disruptive. However, under
5 some circumstances -- or generally this automated
6 execution programs are also designed to take the
7 market as given so they assume that the market is
8 moving where it's moving and by doing what they're
9 doing, they're going to have an impact, but not
10 necessarily move the market.

11 However, in some cases it might be that
12 what the -- an automated execution program is
13 doing is actually causing the movement in the
14 market to which it then starts to react. And this
15 is what we were trying to uncover and we found
16 some evidence that this is what might have
17 happened.

18 CHAIRMAN GENSLER: But can I just ask,
19 Andre, to stretch the analogy a little bit, but
20 this camel, was it thirsty and parched before the
21 weight of one trade was put on it? I think that's
22 Maureen's question because the report does say

1 that the order book and the E-Mini was about -- I
2 can't recall the exact numbers -- about half or so
3 was already dissipated. The volatility index is
4 measured either in the VIX index or elsewhere. It
5 was quite high. You had currency movements and
6 other movements in other markets that were pretty
7 significant before 2:30. So, how parched was the
8 camel before the extra weight was put on the back?

9 MS. O'HARA: I like that.

10 MR. KIRILENKO: The camel was pretty
11 parched. The camel was -- the camel may not have
12 been necessarily dying of thirst, but it was
13 pretty thirsty.

14 MS. O'HARA: It's a came problem,
15 clearly.

16 MR. KETCHUM: Gregg and Robert, perhaps
17 a follow up question. Starting with the
18 compliments with respect to both staffs and both
19 commissions because I think each of the actions,
20 as you'll see from the various buckets we see of
21 issues, I think, go directly to a variety of the
22 realities and frailties of our existing electronic

1 market and I think both commissions have raised
2 and gone to precisely the right type of issues,
3 but you mentioned briefly your view that it may
4 well be appropriate to go beyond the initial
5 triage of market pauses with the clear benefits
6 they provide but some of the limitations from the
7 standpoint of slowing trading in times when it
8 turns out there really isn't serious imbalances
9 and also not controlling an error trade occurring
10 substantially away from the market. Since the
11 error trade only triggers the pause, it is not
12 stopped in itself, and you talked about variety of
13 modernized limit approaches that, if I understood,
14 would be in some way or another time-based and
15 time-based, volatility-based in a short timeframe.

16 I think the Commission has been right to
17 focus on pauses first, which is both
18 technologically easier to put in place, and from a
19 term-wise, far easier to both understand from a
20 market standpoint and to implement. Some of the
21 challenges -- I also agree that the Commission is
22 right to be pushing towards a more flexible and

1 more complete approach for the reasons I
2 mentioned, but the challenges of limits are both
3 being able to properly identify reference prices,
4 identifying how the market restarts in situations
5 where there are serious imbalances as opposed to
6 where it balances, and how to avoid simply a
7 cascading of limit on limit on limit and creating
8 in a slightly longer, but still very short time,
9 the similar volatility that we experienced on May
10 6th.

11 I can't pretend not to know -- I know
12 you've had conversations with the exchanges and
13 FINRA about some of those issues. Are you in a
14 position to elaborate anymore of your thoughts
15 about how those type of issues can be addressed
16 with respect to limits? Or is that still a matter
17 of, sort of, consideration and you're not to the
18 point of being able to discuss it?

19 MR. COOK: Well, Rick, I think you've
20 teed up a lot of the issues that we're working
21 through in connection with this discussion. There
22 are a number of complicating factors that you just

1 identified -- how do you measure the reference
2 price, when do you decide to trigger the limit,
3 and when do you decide that it's actually -- the
4 limit is no longer really the right way to go, you
5 have to pause the market to reopen because the
6 trading interest has moved so far outside whatever
7 band you set for the limit, that there really
8 needs to be a reopening procedure. And,
9 importantly, how do you do this in a way that is
10 going to work as a practical matter and that
11 people will understand? Because you can get very
12 complicated here very quickly and we have a market
13 with all different types of participants, and we'd
14 like to have something that people will understand
15 how it works, can plan for how it will work.

16 But to go to your specific question, I
17 think it's a little premature now to try to frame
18 out some of the possible approaches here that
19 we're considering. We're in active dialogue,
20 though, and I think -- you know, I think one thing
21 that would be good to emphasize is that there's
22 strong support and consensus, I think, among all

1 the exchanges and all the relevant market
2 participants we've been talking to to do this, so
3 we're very optimistic that we'll find the right
4 approach. What we need to do and what we're
5 spending the time doing is to make sure that
6 whatever specific parameters we choose are the
7 right ones and can be implemented without too much
8 disruption. So, we're hoping to be bringing
9 something forward with the participation of the
10 exchanges in the relatively near future for people
11 to react to.

12 MR. KETCHUM: Thank you.

13 CHAIRMAN GENSLER: David?

14 MR. RUDER: Gregg, you talked about
15 market data and the proprietary feeds as opposed
16 to CQS. I heard from your discussion somehow or
17 other that you thought that there was some way to
18 bring these two feeds together, that there was
19 some way that CQS could catch up with the
20 proprietary feeds so that all the information came
21 to the market in the same place?

22 It's my understanding that that -- that

1 given collocation proprietary feeds, that's not a
2 very likely result. Could you comment on that?

3 CHAIRMAN GENSLER: And Gregg, and just
4 as you -- could you explain what CQS, for the
5 public -- listening public, just if you could give
6 a -- thank you to former Chairman Ruder, but the
7 public might not know.

8 COMMISSIONER BERMAN: Sure. So, the
9 exchanges have systems that are producing quotes
10 and trades and those are internal, they come out
11 of their matching engine when quotes are compared
12 and trades are produced. In addition to the
13 internal systems, the exchanges have feeds. Some
14 of those feeds only have exchange information.
15 So, on the New York Stock Exchange, on NASDAQ,
16 they have the quotes and the trades that are only
17 on that exchange. Those are what we would call
18 the proprietary feeds.

19 In addition, every exchange in the SROs
20 are required to send trades that are occurring on
21 their exchange as well as quotes, but not all the
22 quotes, just the best quote, the best bid, and the

1 best offer, to a central system that aggregates
2 the quotes and the trades from all of the
3 exchanges and FINRA at the same time. Those two
4 feeds are called the CQS and the CTS, for
5 consolidated quote system and consolidated trade
6 system, actually two separate feeds that are going
7 out to the market.

8 As a market participant, you can
9 subscribe to the proprietary feeds from every one
10 of the exchanges and you can see the depth of
11 books, you can see all the quotes, or you can gain
12 access to the CQS and the CTS, which contains the
13 same data except across all markets at the same
14 time, but in limited scope, you don't see the
15 depth of the market itself.

16 Regulation NMS basically provides
17 requirements that says every exchange, every SRO,
18 must make available data to the SIP, the plans
19 that support the CQS and CTS feeds at the same
20 time that they make data available to other market
21 participants.

22 So, there should be no delay between the

1 information that goes out from an exchange on the
2 proprietary feeds and those that that can be
3 obtained from the CQS and CTS.

4 Now, there are some technology delays
5 that are on the order of milliseconds, but what we
6 saw on May 6th was that the delays were on the
7 order of seconds and sometimes many seconds, and
8 that -- those are technology issues that can be
9 resolved.

10 MR. RUDER: That's not quite my
11 question. As I understand it the CQS has to bring
12 together all of the proprietary feeds in order to
13 get a common quote that -- NBBO quote that it
14 could put out to the market and that takes
15 sometimes up to two seconds, as I understand it,
16 whereas the proprietary feeds can take place in
17 milliseconds, and what I'm asking is whether
18 you're suggesting that there was some way to
19 bridge that gap, technologically or in other ways.
20 It seems to me that as I look at it, that that's
21 probably a fact of life that has to be accepted,
22 that there will be a difference in the speed of

1 those and we need to deal with that as a problem
2 rather than assuming that these can somewhat be
3 brought together.

4 COMMISSIONER BERMAN: That's exactly
5 right. There will always be a delay because what
6 happens on the CQS and the CTS is an aggregation.
7 That takes time. However, the timing on that is
8 on the order of milliseconds, so the delays are
9 measured in fives and sixes and tens of
10 milliseconds, not seconds, so at a minimum we want
11 to make sure that the delay is at the level that
12 is technologically possible today, so there should
13 be no excuse for a two second or a three second
14 delay, but nevertheless, there will always be some
15 delay, but again that delay will be measured in
16 milliseconds.

17 CHAIRMAN SHAPIRO: Could I actually
18 follow up on that? So, even if you had -- could
19 somehow guaranty dissemination to the public,
20 because it's the receipt of the information, I
21 think, is sort of the operative issue here, not
22 when the information gets to the SIP or gets

1 disseminated, but it's when it's received by
2 either subscribers or market participants. Can
3 you -- is there anything you would do to ensure
4 that everybody received the information at the
5 same time and then could act on it and respond to
6 it quickly? Or because of things like
7 collocation, even if you had a rule that said that
8 information can't be disseminated until exactly
9 the same moment, whether it's from a proprietary
10 feed or a consolidated feed, collocation is still
11 going to give some entities a time advantage
12 because they'll receive the information a
13 microsecond faster?

14 MR. GRAY: It would be possible and this
15 was an issue that the Commission talked about in
16 its concept release on market structure that it
17 issued back in January. Right now, as Gregg said,
18 the rule is, exchanges can't provide the
19 information to their proprietary customers any
20 sooner than they provide it to the consolidator,
21 and the issue that the Commission asked for
22 comment about in the concept release was, should

1 the exchanges be told, delay releasing the
2 information to your proprietary customers until
3 it's clear that the information has had time to go
4 through the consolidator and get to the
5 individuals? And a lot of people commented on
6 that in various ways.

7 MR. BRENNAN: Would -- isn't an even
8 simpler answer to say, eliminate proprietary --
9 eliminate the proprietary feed? Was that one you
10 looked at? Is that seen as anti-competitive or
11 anti-free market? But that would certainly solve
12 the problem of differentiated access, right?

13 CHAIRMAN GENSLER: But the proprietary
14 feed has greater depth of --

15 MR. BRENNAN: I understand. I
16 understand.

17 MR. RUDER: That's still another
18 question, the depth of book question. If --
19 whether we're talking here about the best quote
20 being disseminated to the public and the public
21 now does not get, as I understand it, the depth of
22 book feed that goes under the proprietary feeds.

1 MR. COOK: I think part of the question
2 here is what we're trying to solve for because I
3 think all this is correct, and you could say
4 theoretically that you can't distribute
5 proprietary feeds at all. You could try to put
6 some time delays and then people will try to game
7 around that and so if, for example, you say, well,
8 you can't collocate in the same building, people
9 will try to collocate a mile away or two miles
10 away, whatever, just outside whatever band you
11 put. I mean, I think most of the very active
12 traders in the markets and many of the traders who
13 are trading on behalf of customers who need the
14 speed, actually subscribe to these proprietary
15 feeds, so they have it.

16 The delay, while it can be relevant in
17 some circumstances, often is non consequential to
18 people who are not basing their trading strategy
19 on, you know, that -- it doesn't depend on
20 milliseconds, so it really depends on your
21 strategy. If you're able to trade on your
22 strategy based on the consolidated feeds, it may

1 not be worth it to you to pay some more for the
2 proprietary feeds but on the other hand some
3 people find it useful to get those feeds to put
4 together the depth of order book. And so I think
5 there are competitive issues here at play. There
6 are commercial issues for the exchanges, in this
7 role, vendors of data that are important to their
8 commercial strategy, and I think -- and it is
9 important, though, to recognize that for many
10 people this delay -- many people maybe -- many
11 people who may be trading, this delay really isn't
12 that significant, doesn't really impact their
13 trading strategy.

14 CHAIRMAN GENSLER: Maureen?

15 MS. O'HARA: Can I just follow up a
16 moment on this? So, one could think about the
17 fact that there is a vector of information here,
18 some of which, the price for example, is something
19 that would be relevant for every trader, some of
20 which orders of magnitude of the book are not
21 relevant for every trader. How difficult -- or is
22 it infeasible -- to sort of make rules that say

1 that the price per se, the execution prices, all
2 have to be available at the same time but the
3 other information could be disseminated more
4 quickly?

5 I'm just trying to think about the fact
6 that not all information has value but some does,
7 and is there any way -- have you considered, sort
8 of, breaking this problem down into pieces and
9 saying the price data has to be all the same but
10 the quotes and other depth data could be allowed
11 to coexist?

12 CHAIRMAN GENSLER: Maureen, can I ask --
13 when you say price data --

14 MS. O'HARA: Trades, the executed
15 trades.

16 CHAIRMAN GENSLER: The executed trades,
17 what's called the transaction data rather than the
18 quote data.

19 MS. O'HARA: The transaction data,
20 right.

21 COMMISSIONER BERMAN: From a technology
22 standpoint, it's certainly possible and in fact

1 there are two separate feeds, one of the trades
2 and one of the quotes. In fact, one of the
3 challenges that we had in the analysis of May 6th
4 is actually tying those together. To the extent
5 that participants are relying on the price to
6 determine what quotes they want to put in, they
7 will also be relying on quotes on the other side
8 of the market, so if we do have delays between
9 those two, we would have to think about what are
10 the implications for people who are making markets
11 to be able to determine, what quote do I want to
12 place if they're seeing prices of actual
13 transactions that have been delayed?

14 MR. KETCHUM: Just to follow up on that,
15 Maureen. It seems to me, if I understand the
16 question -- I may not -- that their fundamental
17 complexity gets very hard. If you segregated out
18 the top of book feed for every marketplace and
19 every trading system that had a quoting obligation
20 and you just provided the back book feed, the
21 remaining information as proprietary information,
22 the trouble is that the order that is identified

1 as the best quote, given the latency issues, is
2 going to be different, so you're going to have
3 best bid information and best offer information
4 that's not consistent with the depth of book that
5 you're showing at the same time because it's going
6 to be nanoseconds to a second delay depending on
7 volume and the rest, so it's sort of hard, I would
8 think, to detach the top of the book from the rest
9 of the book if you're really getting -- because
10 otherwise you're not going to get the actual feel
11 at that instant as to what the book composition
12 is.

13 CHAIRMAN GENSLER: Brooksley?

14 MS. BORN: Well, let me just raise a
15 slightly different topic. I was interested in
16 what the report had to say about the algorithm
17 that was used by the trader that seems to have
18 triggered or acted as a stimulus for the flash
19 crash and I wondered if the staff knows whether
20 that algorithm that has neither price limits nor
21 time limits is commonly used, widely used in the
22 marketplace or whether it's proprietary to just a

1 few traders or executing brokers, and whether
2 there has, in the past, been any study of
3 algorithms and whether or not they can be limited
4 to assure orderly trading.

5 MR. KIRILENKO: Thank you very much for
6 this very important and thoughtful question. We
7 have been engaged in discussions with market
8 participants who provide automated execution
9 services. We've looked at this specific algorithm
10 and we've also looked at other automated execution
11 algorithms and the -- each one of these algorithms
12 consists of a number of moving parts and some of
13 these moving parts are set in motion by the
14 customers who set parameters so that as these
15 parts start moving, some of these moving parts are
16 preset within the box that is part of the
17 algorithm.

18 What we were trying to discern in our
19 understanding of the automated execution
20 algorithms is whether or not there is capacity for
21 an algorithm -- automated execution algorithm
22 specifically, that is, just for background,

1 automated execution algorithms are designed
2 typically to take a trade, typically a large
3 trade, and split it into a sequence of smaller
4 trades so as to minimize market impact.

5 So, it could do it, depending -- these
6 algorithms could do it by taking into account the
7 actual prices at which they execute, they could
8 also try to target a proportion of market
9 activity, for example, volume. They also could
10 look at depth. They look at -- they often
11 randomize times at which they submit orders so as
12 not to be detected by other market participants.

13 What we were specifically looking for is
14 whether or not there is capacity -- or still are
15 looking for, whether or not there is capacity was
16 in automated execution programs to cascade and
17 that is a very active area of inquiry, and this is
18 the area of inquiry that feeds into our -- some of
19 the issues that, hopefully, the Joint Advisory
20 Committee would also consider, which is what is
21 the role of the executing brokers in provision of
22 automated execution services.

1 CHAIRMAN GENSLER: Andre, maybe if I can
2 -- this may be the question that -- how common is
3 the use of automated execution algorithms that
4 don't limit price or time? I mean, other than the
5 -- of course they always look at the price of the
6 last trade, but don't have an overall price band
7 or time band? I think that was --

8 MR. KIRILENKO: We looked at the large
9 trades in the E-Mini. Large, and by large I mean
10 that trades of the same size or larger, that
11 occurred in the previous 12 months, and as the
12 report states, that was the only time when an
13 algorithm that did not take into account, did not
14 set an overall price limit or time limit, was
15 used.

16 MS. BORN: For these very large trades?

17 MR. KIRILENKO: For large trades.

18 MS. BORN: So, you haven't studied
19 whether it's used for smaller trades?

20 MR. KIRILENKO: We have conducted
21 conversations, we've had numerous conversations
22 with executing -- this particular executing

1 broker, and this type of algorithm, they did not
2 provide specific statistics, but it is not widely
3 used.

4 MR. COOK: I think the broader question
5 of understanding algorithms and the whole process
6 by which -- this is obviously so important in the
7 markets now because almost any trade being entered
8 into a high speed market like this needs to be
9 done through some form of algorithm if it's of --
10 especially if it's of any size, because the whole
11 purpose of the algorithm is to be able to execute
12 the trade without influencing the market price,
13 and I think understanding -- the areas that we
14 would like to continue to understand better
15 include the whole process for the design/creation
16 of an algorithm, the way that it's made available
17 to customers, what kind of controls are around
18 that, what type of testing occurs, what kind of
19 disclosure occurs around the provision of
20 algorithms to customers so that they know. I
21 think these are useful areas for further inquiry.
22 They are somewhat picked up in the sponsored

1 access proposal that the SEC approved on Wednesday
2 with -- in a sense that there are obligations now
3 for firms who have market access or who provide
4 market access to somebody else, to make sure they
5 have reasonable policies and procedures to deal
6 with the issues such as error trades or trades
7 that exceed preset limits of some type.

8 But it is an issue, I think, where we
9 have been studying it, we actually have a
10 taskforce at the SEC that was cross-divisional and
11 formed before May 6th to begin to look at some of
12 these issues and go out and meet with some of
13 these firms and talk to them about the trading
14 strategies they're using and the algorithms
15 they're using, and I know Dan and David have been
16 part of this. I don't know if you want to comment
17 on, more specifically to your question about how
18 prevalent some of these types of algos are, at
19 least as much as we've been able to learn to date
20 through our interviews with market participants.

21 MR. GRAY: Well, it's hard to say
22 exactly how often a particular type of algo is

1 used, but this participation algorithm, which is
2 just based on volume, is not unusual. It can be
3 often part of a suite, though I guess I would --
4 it's understood that you should be careful how you
5 use an algorithm that doesn't have all of the
6 throttles that some of the other ones do.

7 CHAIRMAN GENSLER: That's really --
8 staff will bail me out here, please, but as I
9 understand, it's that many of the execution
10 algorithms, a client has a choice to pick from a
11 suite of what is -- that Dan said is a throttle.
12 What is the limit? It's almost like doing a pull
13 down menu. I don't know if it was literally a
14 pull down menu, but so the client has a choice.
15 Do they want to set price? Do they want to set
16 time? Do they say, all right, I want to do 10,000
17 contracts over the course of the next three hours
18 randomized? Do I want to do it within 3 percent
19 or 6 percent or -- you know. So they have a
20 choice. The algorithm might have a number of
21 things programmed in and as I understand it, the
22 executing broker here didn't see specifically the

1 choices the customer made, and as we understand
2 it, that is quite usual that the executing
3 broker's algorithm is -- the customer is using
4 that algorithm, it wants anonymity, so the
5 executing broker doesn't know which choices they
6 made, which throttles their limits.

7 MR. KIRILENKO: Right. I would like to
8 --

9 CHAIRMAN GENSLER: Did I get that about
10 right?

11 MR. KIRILENKO: That's right, and I
12 would like to perhaps clarify a little bit that
13 the use of an algorithm versus the parameters,
14 which is what I was trying to say before -- versus
15 the parameters specified by the customer, so the
16 -- you know, it's often said that this particular
17 algorithm -- basically, many algorithms can be set
18 with certain parameters to do very similar things
19 even though the algorithms are very different.

20 So, an algorithm that takes --
21 explicitly takes price into account, you can set
22 the prices so wide that it will not. The

1 algorithm that takes time into account, you can
2 set the time to a month and it will not. So, the
3 customer has a choice to set the parameters and so
4 the -- on May 6th, it was both the parameters that
5 were set and the algorithm that was used that are
6 important, and from that extent we're trying to
7 understand better what are the parameters and how
8 the particular combination of parameters, not just
9 the algorithms, that customers need to know about.

10 CHAIRMAN GENSLER: I'm sorry, Brooksley
11 and then Susan.

12 MS. BORN: So, currently, at least with
13 respect to this particular executing broker, there
14 is no kind of oversight of the customer's choices.
15 There isn't a review at any point of whether this
16 has been an appropriate use of an algorithm that
17 will not be disruptive to the market. Is that
18 right?

19 MR. KIRILENKO: I cannot speak on behalf
20 of the executing broker. Our limited
21 understanding is there are certainly risk
22 parameters in place prior to any of the customers

1 could place any order or use any of the pipeline
2 used by the executing broker. These particular
3 customers must have accounts with executing
4 brokers, they also have limits on how much they
5 execute over what periods of time and what
6 executing brokers could do for them.

7 Within those agreements there might be
8 very specific requests that customers may give to
9 executing brokers not to oversee their trades or
10 not to see how the trade has been executed. And
11 part of it, it could be easy to understand, is
12 that the customer may not necessarily feel like
13 disclosing its trading activity to an executing
14 broker in order not to be, for example, affected
15 by some other activity -- executing trading
16 activity that this executing broker may undertake.

17 Therefore, a customer wants to protect
18 what it wants to do in executing its large order,
19 for example.

20 CHAIRMAN GENSLER: Susan?

21 MS. PHILLIPS: I understand that you're
22 looking further into whether or not there should

1 be some kind of constraints, constraints on these
2 algorithms. I guess what I'm wondering is, are
3 there in existence now any best practices that --
4 informal best practices -- that broker- dealers
5 should be following?

6 COMMISSIONER BERMAN: At a recent
7 conference given by SIFMA, we actually heard a
8 panel on exactly that topic and I think today it's
9 quite varied. You'll have some brokers who
10 actually have throttles, they will override in
11 real time trading as it happens. You have others
12 who don't have those throttles, so I don't know to
13 the extent that there are best practices. From
14 what we've heard from some of those providing
15 algorithms, it is a competitive market and many
16 parties will use multiple algorithms by different
17 executing brokers, and as with all things, if they
18 find that there are throttles preventing them from
19 doing what they would like at one party, they may
20 go to a different party.

21 Within that, one thing I'd like to
22 highlight and come back to something that Andre

1 said before, when we think about executing
2 algorithms, we normally think about feeding in
3 prices slowly so that you don't move the market,
4 but there is an added complication that has to do
5 with the other side of algorithms, namely
6 algorithms by parties who are not trying to
7 execute but are trying to respond to what the
8 market is doing, namely those that are generally
9 run by high-frequency traders. In addition to
10 feeding in information slowly so you don't disrupt
11 the market, you have to feed it in a way that
12 doesn't give you away, to show that there is an
13 algorithm. So, there's quite a bit of a feedback
14 going on that if someone can detect that there is
15 an algorithm on the other side, then the person
16 who is detecting the algorithmic trade can in turn
17 have an algorithm that plays off of that. That's
18 a recently new phenomenon, so it's obvious that
19 you want to feed something in slowly, not to
20 disrupt the market, but you also want to do that
21 in a way that doesn't cause the market to change
22 because of that algorithm itself.

1 CHAIRMAN GENSLER: I was just curious,
2 Robert or Gregg, the rule that was just adopted, I
3 guess two days ago, about sponsored access, does
4 that require the executing broker to filter the
5 choices, or is it like what we have currently in
6 the futures marketplace where there are many risk
7 filters, like our executing brokers have to make
8 sure you have the margin and the financial
9 resources, but in the futures market at least
10 currently the executing broker does not limit the
11 choices, these choices you're talking about? So,
12 that's my question, what did the SEC do in that
13 regard?

14 MR. COOK: Well, it does require that
15 the firm with market access, so the one who has
16 the pipes into an exchange and is using those
17 pipes for its own trading or to offer a pipeline
18 for someone else to come through, have in place a
19 set of policies and procedures and supervisory
20 controls around providing that access to address a
21 range of issues including financial risk, risk of
22 error trades, that sort of thing. It doesn't get

1 -- the rule is not very prescriptive about saying
2 you can do this, you can't do that, but it does
3 require that all firms establish a baseline for
4 making sure that they have some reasonable
5 controls around error trades, for example, but it
6 doesn't limit the choices that a firm can provide
7 in terms of algorithms made available to its
8 customers.

9 CHAIRMAN GENSLER: So, it sounds like,
10 though -- I mean, I'm sure that there are things
11 we can learn in our world, and we'll take a close
12 look at, particularly as we look at Dodd-Frank,
13 but it sounds like it's about financial resources,
14 or as in our world, margining and capability to do
15 margining, error trades, using the pipeline as
16 contrasted to a choice as to whether you limit a
17 large order by time or price.

18 MR. COOK: Yes, but, you know, part of
19 having reasonable controls would be making sure
20 that you're not offering an algorithm that could
21 reasonably be foreseen as having a negative impact
22 on the participant or the market, so I don't want

1 to make it sound like it's just about --

2 CHAIRMAN GENSLER: So, it could cover --
3 it could cover the other area?

4 MR. COOK: Oh, yeah.

5 CHAIRMAN SHAPIRO: And it clearly is
6 about more than just financial and credit risk
7 issues.

8 MR. KETCHUM: If I could just add,
9 before the SEC's action this week, the exchanges
10 in FINRA had general supervisory rules that went
11 to this point, although not with the depth and
12 direction that the SEC rule will now put in place
13 and it certainly does look to control with respect
14 to error trades. It certainly looks to control
15 from the standpoint of risk standpoint. It looks
16 at the very edges with respect to controls on
17 things that could be viewed as disruptive although
18 generally at most focusing on that activity added
19 around a close or some unique event. As you note,
20 Chairman Gensler, the challenge of going beyond
21 that to directing with respect to individualized
22 choices as to when you use particularly algorithms

1 and what controls you build in with respect to
2 stops and limits and a variety of other things, no
3 one has tried to implement that far other than
4 expecting careful supervision from the standpoint
5 of broker-dealers. So, it remains an area of
6 focus in our side as well as in your side.

7 MR. COOK: Just to give you an example,
8 and to pick up on Rick's point that, you know, it
9 was mentioned that we've had triggers of the
10 single stock circuit breakers and some of them
11 have happened because very large orders come in
12 and they are executed too quickly in a way that in
13 retrospect you'd say, well, that was kind of
14 foreseeable that we're going -- for example, if
15 you put in an order too quickly in an amount
16 that's many times the average daily trading volume
17 for a security and you try to execute within a
18 couple seconds amounts that typically are executed
19 over a couple days, that sort of control against
20 that type of algorithm or order entry, whether

21 it's by algorithm or otherwise, are the sort of
22 controls that we're talking about requiring a firm

1 that has access to a market to have in place to be
2 able to filter out those sorts of trading
3 activities.

4 MR. KIRILENKO: May I just -- just very
5 shortly to some of the question that you ask. I
6 think that there has been a quite genuine desire
7 expressed to us by some of the executing brokers
8 for certainty, for some greater certainty in
9 parameters that they'd like us to consider. And
10 they say, well look, these are algorithms. Just
11 tell us what to program, we'll program it in. We
12 just need to know what to put into the box. Just
13 tell us.

14 CHAIRMAN GENSLER: Maureen and then I
15 think what I'll do is -- you're going to stay at
16 the table, I think, but have the subcommittee's
17 report and then we'll continue the dialogue, but I
18 think based on the subcommittee, so it would be
19 helpful. But Maureen?

20 MS. O'HARA: I'd just like to return to
21 a point that Gregg raised about the obligations
22 for executing brokers in general, and in the case

1 of an algorithm where a customer has made a choice
2 of parameters, that seems like one issue, but
3 something that seemed troubling in the crash was
4 the routing decisions of brokers to apparently
5 send orders to venues that had nothing in their
6 books. And so the question of what is the best
7 execution obligations in worlds where brokers sort
8 of just route around venues to go to other venues
9 without sort of checking that at all? Do you have
10 any comments and feedback on that?

11 I mean, one of the issues that did seem
12 to leap out at this is that, you know, many of the
13 internalizers, for example, seem to have stopped
14 internalizing and just routed away, and it raises,
15 I think, very interesting questions about best
16 execution and what are the obligations.

17 MR. COOK: There are certainly
18 obligations from a best execution standpoint and
19 we spoke to a number of the internalizers about
20 their decision and how they thought through the
21 process. The way that it was described to us was
22 that they have algorithms themselves that are

1 taking incoming orders and based on their own
2 internal risk limits are going to process their
3 orders to the best that they can possibly do. The
4 best that they can possibly do when you get a
5 market order that says you must sell immediately
6 at this price, is to look out -- well, first, look
7 internally, would you like to buy the other side,
8 and if the answer is no, then to look out at the
9 different venues.

10 So, they were cognizant of the fact that
11 they were taking a market order and they saw that
12 there was a price for 13 cents for a stock and
13 they would say, sell that stock for 13 cents. And
14 we asked about that, we said, well, why would you
15 do that, and I think they had a fair answer which
16 was, how can we not do that if we were instructed
17 to sell that immediately? We had programmed our
18 algorithms to do that already so there was no way
19 of stopping that from happening in real time. If
20 they wanted to have -- to get together and say,
21 should we really be selling something at 13 cents,
22 it would have been too late by that point.

1 The analogy that they gave us or the way
2 that they viewed this was, imagine the stock is at
3 \$40 and it suddenly plummets to \$20 and the
4 customer says sell at any price and we decide that
5 \$20 is -- it's a ridiculously low price, but the
6 market goes down to \$5 and stabilizes. Then the
7 market was at \$5, the customer now has a stock
8 that's worth \$5 even though he could have had it
9 for \$20, so part of the issue here was not that
10 the market went down, but that the market went
11 down and then it went back up at the same time and
12 people got caught in that whip saw.

13 So, it's a tricky problem, but it
14 certainly does speak directly to the best
15 execution responsibilities of broker-dealers.

16 CHAIRMAN GENSLER: I don't know if
17 Professor Stiglitz is on the phone and we haven't
18 heard or paused, but just before we go to the
19 subcommittees just to see if Joe has any
20 questions?

21 MR. STIGLITZ: I just have one question,
22 which is in terms of that rule. Why don't you use

1 price incentives to charge brokers for, say,
2 erroneous trades so that they have incentives to
3 make sure that anything going through their
4 brokerage isn't erroneous?

5 MR. COOK: Well, it is something we
6 could consider doing. I mean, part of the problem
7 with the erroneous trade world is defining what is
8 erroneous under the circumstances. But there
9 certainly are incentives that we could take into
10 account including either charging them for it, and
11 frankly sometimes they are charged by their
12 customers who seek to be made whole. I think that
13 often happens. You could also not have a trade
14 rate process.

15 MR. STIGLITZ: Yeah.

16 MR. COOK: Sorry, go ahead, Professor.

17 MR. STIGLITZ: Oh, no, I understand.
18 It's just that what we're concerned here more is
19 the systemic effects on others, so it's not just
20 the effect on -- with the particular party, and in
21 fact was that on May 6th you did break a lot of
22 trades because you thought it was important for

1 the systemic -- for the system, and, therefore,
2 the fact that they hadn't designed systems that
3 would avoid that is indicative that the system
4 isn't working well when it's on the magnitude of
5 what occurred.

6 CHAIRMAN SHAPIRO: I would just add, I
7 think, that with this new rule, I mean, the
8 ultimate paying the penalty is an enforcement case
9 and if reasonable risk management controls are
10 utilized and orders pass through those first,
11 there's always the option it takes a while and
12 it's a cumbersome process in some ways, but there
13 is the option for enforcement action against the
14 broker-dealer with the market access.

15 MR. COOK: Yes, absolutely. The market
16 access rule is intended to raise the bar and give
17 us a standard against which we can hold brokers.
18 One of the issues with trying to come up with a
19 more particularized fee or charge is, who do you
20 charge and who and under what circumstances and
21 how much, because some people might argue that
22 this was not -- some events around May 6th were

1 not necessarily particular actors doing something
2 wrong, but they were responding to the signals
3 they were getting and it's really the system as a
4 whole that needs to be thought through, and I
5 think part of what our approach has been in terms
6 of the circuit breakers, moving to limit up/limit
7 down, the new proposal that we hope to approve
8 soon banning the posting of stub quotes, is to
9 narrow or eliminate the circumstances in which
10 you'd have erroneous trades, because that seems to
11 be the real policy objective in the first place.

12 CHAIRMAN GENSLER: I want to thank the
13 staff, but please stay.

14 As I think we noted at our last meeting,
15 we have two subcommittees of this group and it's
16 important that the public understand what those
17 groups have been doing and the transparency of the
18 subcommittees to report. We have a cross-market
19 linkages subcommittee, which I think Brooksley
20 Born, Jack Brennan, David Ruder, and Professor
21 Stiglitz, Joe Stiglitz on the phone, are on and
22 then we have a pre- trade risk management

1 subcommittee with Robert Engel, Rick Ketchum,
2 Maureen O'Hara and Susan Phillips. And Jack
3 Brennan and Rick Ketchum, in a remarkable show of
4 volunteerism, and an inability to step back when
5 others stepped back faster, are each leading each
6 of these subcommittees. I don't know if we'd go
7 so far as to say chair, but if you want the
8 titles, you can have them.

9 MR. KETCHUM: We don't want the titles.

10 CHAIRMAN GENSLER: But the leads of each
11 of these are going to report. They've had, I
12 think, numerous conference calls, but each of the
13 committees, consistent with Federal Advisory
14 Committee Act or what is known as FACA and the
15 Government In Sunshine Act will now report back to
16 this full committee, as they will in the future as
17 well.

18 I think Rick was going to go first, and
19 then Jack. Is that how it is? Rick?

20 MR. KETCHUM: Thank you, Chairman
21 Gensler. And you've accurately described what has
22 occurred. Our subcommittee has had a number of

1 phone conversations. Our purposes, again, as you
2 know, were limited. They were first to focus in
3 particular in attempting to clarify for our own
4 view, the market environment that existed on May
5 6th and exists today across the securities and
6 derivative markets. Secondly, to attempt to
7 identify issues. We both -- I think both
8 subcommittees attempted to start identifying
9 issues in its preordained area, but given the
10 nature and capabilities of the persons involved
11 and the interconnection to the issues, we
12 identified issues that crossed across the
13 boundaries of both subcommittees. So, in fact,
14 Jack and I are going to hand it back and forth in
15 looking at those issue identifications because in
16 both cases each committee identified in each of
17 the various, what I would say, buckets of issues,
18 similar issues.

19 Let me take, just for a minute, to give
20 you our perception from our subcommittee of the
21 market environment, pass on to Jack for some of
22 his --

1 CHAIRMAN GENSLER: What's the name of
2 yours?

3 MR. KETCHUM: Ours is the pre-trading
4 issue subcommittee.

5 CHAIRMAN GENSLER: Okay, pre-trading
6 issue subcommittee report.

7 MR. KETCHUM: Exactly. So, as a table
8 setting -- and then to pass on to Jack for the
9 first sets of issues -- we looked, and I think the
10 Commission staff's excellent summary today as well
11 as, again, a further compliment for both the
12 preliminary report and the final report for the
13 care, detail, and thoughtfulness of it. I guess
14 we see a few things with respect to the character
15 of the market that is that we site neither
16 positively or negatively but we think reflects the
17 marketplace and strongly argues for the
18 consideration and attention that the two
19 commissions have been clearly giving it.

20 Those in first reflect as a result,
21 starting from the equity market, which I love so
22 much, conscious decisions of the Commission over a

1 period of time to encourage for very socially
2 useful reasons, both increased competition in the
3 marketplace for trading environments, quoting, and
4 orders, increased transparency of those orders,
5 and lower -- quite low barriers to entry that are
6 both reflected in the Commission's flexibility and
7 encouraging automated trading systems and
8 encouraging competition across exchanges and the
9 registration of new exchanges.

10 The result of that has been, on the
11 equity side, significant fragmentation that's
12 characterized with a variety of factors. First,
13 that fragmentation has included, as a result of
14 conscious initiatives of the Commission, a degree
15 of the market that reflects -- that is generally
16 referred to in one way or another of dark pools,
17 but I think while it reflects some independent
18 trading, predominantly reflects dealer trading and
19 proprietary trading through either matching
20 systems or just interacting with customer order
21 flow of that firm or other firms, and that
22 percentage, which has always, in way or another,

1 existed in U.S. markets, has grown now to various
2 different calculations but certainly as described
3 in the report in some ways, to about 30 percent of
4 the transactions and perhaps more of the orders,
5 and as it was reflected earlier, the participation
6 of those dealers may vary and appears to from the
7 report, drops off considerably and leads to
8 routing into the organized transparent
9 marketplaces in time of pressure and volatility.

10 That fragmentation also results in a
11 commoditization of markets from a leverage
12 standpoint, from the standpoint that both markets
13 are quite constrained as to how they price their
14 services, how they encourage particular liquidity
15 providers to leave orders in their marketplaces,
16 and with that, the degree in which they have
17 flexibility to encourage market maker obligations
18 or any regular requirements for continuous quoting
19 at or around the marketplace because, again, the
20 substantial reduction of leverage that has
21 occurred in the electronic market environment.

22 That environment is also encouraged,

1 both because of the fragmentation and because of
2 the flexibility the commissions provide, and
3 similarly on the futures side, the flexibility
4 that the CFTC's provided for an environment, to a
5 high degree levels of high-frequency trading and
6 electronic trading and that electronic trading,
7 again, providing a great deal of liquidity on many
8 occasions during normal times does have impacts
9 with respect to market operations. It creates
10 levels of message traffic that can result in
11 delays as was discussed here, particularly delays
12 at different times with respect to an inconsistent
13 issue with respect to the dissemination of
14 consolidated data as opposed to the individual
15 feeds of each particular market.

16 In addition, that market structure is
17 characterized by -- again as been noted by the
18 staff and action's been taken by both commissions
19 to look at, a proliferation of algorithms driving
20 that electronic train, and that proliferation has
21 evolved from algorithms that were effectively
22 controlled by a limited number of large firms

1 shifting over time to a variety of vendors
2 providing those services shifting over time to,
3 for lack of a better word, the type of naked
4 access that exists across both broker-dealers and
5 non-broker-dealers directly collocating, and wide
6 varieties of separate algorithm, both development
7 and maintenance and control, exactly Chairman
8 Gensler, the point that you raised.

9 None of that is described and intended
10 to describe from our subcommittee either from a
11 positive or negative position, but only a
12 reflection of the market that was sitting there on
13 May 6th or arguably for a period of years before,
14 and we believe that the conclusion out of that,
15 certainly that market structure or probably any
16 market structure that provides effective and easy
17 access to electronic trading, is that liquidity
18 problems are inherent difficulties in that
19 high-frequency world that do deserve the attention
20 of the commissions, not with any particular action
21 suggested in that way, but certainly a recognition
22 that in that type of world there will be a regular

1 challenge from the standpoint of liquidity
2 problems that can result in very significant
3 market volatility and for reasons described in the
4 report.

5 In addition, we think again, not
6 surprising and consistent with, I think, what
7 Gregg Berman said today, because of the speed of
8 trading, uncertainty becomes a major factor in
9 influencing the behavior of the market and that
10 the questions and risks of that, responses to that
11 uncertainty, are quite substantial because of the
12 ability to immediately either drive substantial
13 opportunistic trading into the market or remove
14 substantial buy or sell liquidity in an extremely
15 quick period of time that exists from an
16 electronic trading environment.

17 And finally, that very fragmentation and
18 the reality of that electronic trading and the
19 fact that the interrelation between the futures
20 markets, options markets, and securities markets
21 have always been significant in the U.S.
22 environment. That electronic trading has made

1 that interrelation even greater and the importance
2 and issues of coordination across the agencies and
3 across the marketplace is even greater as well as
4 a recognition that electronic trading has allowed
5 efficient access to a wider variety of markets and
6 a wider variety of trading relationships so that
7 not only is it important to recognize that there
8 is interconnection across directly related markets
9 such as the E-Minis on the futures side, ETF
10 options on the S&P Future and the equity markets,
11 but also the relation in a less direct way as one
12 sees from the trading on May 6th across a wide
13 variety of asset classes from foreign currencies
14 and dollar relationships to gold, silver, and a
15 wide variety of other assets that in one way or
16 another trade in a direct or indirect relationship
17 with respect to equity markets.

18 All of that, we think, justifies the
19 existence of the committee and the attention of
20 the SEC and CFTC and raises a series of issues
21 that we've tried to order from the standpoint of,
22 although they're interconnected in a variety of

1 ways, and with that, for the first couple buckets,
2 I'll pass it to Jack Brennan.

3 CHAIRMAN GENSLER: Thank you, Rick, for
4 the committee report or at least part of it
5 because I gather we're going to go back, but Jack,
6 I gather you're probably the post-trade committee
7 then --

8 MR. BRENNAN: We're cross-market
9 linkages and we do want to reiterate, one, the
10 thanks to the staff for terrific work. The sprint
11 from basics to the first report and then the
12 subsequent report with your final findings. It's
13 very impressive work, and congratulate the
14 commissions on the fast action, you know, the list
15 of actions that was presented this morning is very
16 impressive and not comprehensive and not final,
17 but really impressive, and so we think it's very
18 important to get at one of the key themes that our
19 committee has focused on -- our subcommittee has
20 focused on -- which is investor confidence, and a
21 lot of what we hear in all of this, you know, in
22 our deliberations, the issue of investor

1 confidence is very important as is -- and because
2 we started out at cross-market issues, sort of
3 regulatory mechanisms that I will spend a little
4 time on, too, today.

5 Rick mentioned the third theme that sort
6 of colored our conversations which is, you know,
7 the interdependency, the automation, and the
8 highly connectedness among the markets that is,
9 you know, part of our lives today and we're not
10 going to go back to a different environment nor
11 necessarily would anybody want that, but it does
12 color the next two themes that I would expose from
13 our group, but they're really shared themes, as
14 Rick said. Much as you tried to herd us into
15 camel bins, we apparently had good springs and we
16 jumped out without that last straw on our backs.

17 MR. KETCHUM: Though jumped out entirely
18 independently and separately.

19 MR. BRENNAN: Yeah, thank you, Rick.
20 You're the regular, I'm not.

21 So, the first area that we'd like to
22 comment on, and it will seem a tad redundant, but

1 I would call it reemphasis on some of the areas
2 you're already working on, and that is trading
3 halts and market pauses.

4 Again, if you think of anything that
5 gets right at interconnectedness, and more so
6 today than ever and the most obvious place being
7 the spider, the E-Mini options markets, the
8 interconnectedness is very much there.

9 So, again, you've addressed some of
10 these but we want to just share it where we went
11 at this -- the committee members went at this
12 independently, certainly, the issue of market
13 halts and cross-market halts. Robert, you were
14 just talking about it, but it's so important to
15 look forward, not back, from our standpoint with
16 respect to what are the metrics. Is the S&P 500
17 correct? Should it be the, you know, a far
18 broader index? And at what level does it get
19 triggered, how long, et cetera? And then, in a
20 sense, a more -- a broader question which is do
21 they make sense? Are cross-market halts the right
22 mechanism? Which leads to the second issue, which

1 is thematic, and again has been addressed here,
2 but very important from our standpoint, and that
3 is the whole issue of pauses versus halts and we
4 think as you look at the results of your work, the
5 staff work, and this came up in our first meetings
6 and it's come up continually, you know, is that
7 the new world? Are pauses -- particularly as it
8 relates to the stock markets -- the new world?
9 But is it implementable in an area where there are
10 40 trading menus for stocks? We think it deserves
11 an awful lot of attention because the
12 differentiated behavior of different markets
13 during May 6th, but symptomatic of where we are
14 today, makes it incumbent on us to look at a
15 different mechanism, much as we looked at a
16 different mechanism back in '87 and put the halts
17 in place. So, that's a critical issue that we
18 would like to see addressed further, we know you
19 will, in addition the whole cross-market issue, do
20 they make sense, and how.

21 The third issue, it gets more to the
22 mechanics of specifically the stock exchanges and

1 that is LRP's self help. Again, I'll speak for
2 myself, not totally clear whether they were
3 beneficial, deleterious, didn't get as much play
4 in the second report as in the first report, but,
5 you know, simple question of they're recognizing
6 there are huge implementation challenges, but does
7 May 6th and what we learned from there raise
8 questions at the exchange level itself?

9 And then the final -- a final, not the
10 final -- we wouldn't assert that all of this is
11 comprehensive, but -- question specific to a
12 product type, which is ETFs. Very new and just
13 the question being asked which I know you've
14 asked, are there special rules needed or related
15 to broad market ETFs? Because their functional
16 characteristics are so similar to a product
17 regulated in other venue, obviously the futures
18 market, and again a market, as we've spoken about
19 in these committee meetings so far, that has
20 become very large and ubiquitous.

21 The last item, we obviously, and we
22 know you will, and Robert you already alluded to

1 it a bit, was the single stock futures -- single
2 stock circuit breakers. Terrific -- terrific
3 idea, both spiritually and actually when you
4 implemented them. The FINRA board would like to
5 take credit for making you do it, but that
6 wouldn't be quite legitimate, but we'd like to
7 think it -- since it went well, we'd like to take
8 credit for it, the governors of FINRA, but we
9 should, as you already said, are they working
10 right? Why? You know, are we fat finger
11 susceptible and other things? But an innovation,
12 in an instant in some ways, but sometimes they're
13 great innovations, so as a specific takeaway we'd
14 like to see that looked at.

15 That's the first, Chairman, theme that
16 I'd like to address on behalf, really, of both
17 committees independently. Thank you, Rick. The
18 second one is -- and we've, again, addressed this
19 at a -- in your reporting out, and that is the
20 whole issue of market access and transparency, you
21 know, the theme of fair and equal access and how
22 do we think that plays out today in this highly

1 interconnected market. You've already discussed
2 some of them and frankly as we were having our
3 last meeting, you were issuing a rule around
4 sponsored access and direct access. The questions
5 -- and this is, again, a question that will come
6 up in many areas -- that we think get highlighted
7 in this episode and in your work, is about
8 responsibilities that come with privileges and
9 it's not just with respect to this specific issue
10 of sponsored access and direct access, but I know
11 in our subcommittee's conversations it kept coming
12 up again and again and again. If you get a right
13 or you have a right or you're granted a privilege,
14 what are the responsibilities that come with it?
15 And have our sense of, what are the
16 responsibilities, kept up with the evolution of
17 the privileges that have been granted? And,
18 again, a similar theme comes up in collocation.
19 You know, I think it's safe to say we don't expect
20 anybody to roll back the clock and, you know,
21 Robert, you mentioned, so it's a mile -- you want
22 to be a mile away, if we say you have to be a mile

1 away. I said jokingly, I want to be in the real
2 estate business near -- near the data. Forget
3 about being in the markets, the better business is
4 being in the real estate business right near the
5 exchanges, but, again, are there responsibilities
6 that come with collocation?

7 And do we think collocation has an
8 effect beyond that which we believe is fair? You
9 know, the whole theme of high-frequency trading
10 when we were sort of -- when we were thinking
11 about both committees' reports, you could have had
12 a whole theme on -- a whole separate section -- we
13 could have had a high-frequency trading subgroup
14 if we wanted to because it runs throughout this,
15 but clearly the market access and transparency,
16 because it gets to practices and it was already
17 asked about, Professor Stiglitz asked about, you
18 know, should there be prices -- should there be
19 incentives around behavior, and one of the big
20 questions from a market access and transparency
21 standpoint has to do with quotes that are pulled.
22 And, you know, we look and we say, are withdrawn

1 orders in this environment at the speed at which
2 markets work problematic to -- and come back to
3 the macro theme from our subgroup -- which is
4 investor confidence, and is it false liquidity?
5 Does it look like there's much more there than
6 there is? Market book depth, obviously, is a
7 whole other subject matter, but when it comes to
8 specifically high-frequency trading, it's a
9 question of whether that practice benefits from
10 the good markets and can accentuate problems in
11 bad.

12 Gregg, you said it looks like they're
13 buying and selling, they're on May 6th, but it's a
14 bigger question than that as to whether there's --
15 there are appearances and behaviors that run
16 throughout -- through the normal markets, that
17 should raise concern. We ask the question, we ask
18 it without prejudice, but we think it's a theme
19 that is very much live.

20 So, those two themes, we think, are
21 vitally important for additional work. You're
22 already doing a lot in that regard, but critically

1 important, and I'll flip it back to Rick for our
2 next one.

3 CHAIRMAN GENSLER: Thanks, Jack. Rick?

4 MR. KETCHUM: Again, building on it,
5 Jack has at least -- and I'll state again -- these
6 really are purposes of both issue spotting for the
7 commissions and to begin a conversation with
8 members of the advisory board. They don't reflect
9 conclusions of the subcommittee, nor are they
10 going to reflect everything that even my
11 subcommittee members may think, and so I look
12 forward to the conversation after this.

13 Jack ably raised a lot of questions that
14 our subcommittee would put under the question of,
15 what do you do with empty books? You're in an
16 environment where there's risk of empty books, how
17 do you react to them? The second set of questions
18 or group of issues that we think the Commission
19 should continue to focus on is the question, again
20 without suggesting that there are miraculous
21 answers, how do you keep the book from becoming as
22 empty? How are there ways to, in way or another,

1 encourage liquidity in a high-frequency world or
2 at least avoid discouraging liquidity in a
3 high-frequency world?

4 The issues we would suggest that the
5 Commission should at least continue to attend to,
6 recognizing that you have taken steps already, I
7 would briefly tick off as these: First,
8 recognizing, as Jack just discussed and was
9 discussed by the staff of the normal behavior that
10 will occur with respect to any trader not subject
11 to particular obligations in periods of volatile
12 time, which is there will be a liquidity provider
13 for a while and they'll sell for a while. The
14 question as to whether there is value in creating
15 increased differentiation with respect to market
16 makers and not recognizing -- or market maker-like
17 activity as identified by the economists and not
18 recognizing that for anything affected to occur,
19 particularly on the equity side because of the
20 fragmentation and lack of leverage of the
21 exchanges, it would have to be a Commission
22 initiative that went across all exchanges for it

1 to occur.

2 We believe there are a variety of
3 questions on market maker differentiation that
4 could be addressed with just saying it's not
5 right. We recognize that market makers are not a
6 panacea in any way or that particular designs of
7 market making can also discourage competition, and
8 so we don't suggest that there are simple answers,
9 but we do believe that questions as to whether
10 differentiation of at least liquidity provider
11 behavior and expectations of that occurring in
12 volatile times, whether it be from the standpoint
13 of regulatory requirements, fees, oversight in one
14 way or another, are worth further evaluation.

15 Second, given the timing issues, as
16 again identified by the staff, we think further
17 analysis and effort to try to reduce -- to
18 increase transparency and reduce differences from
19 a timing situation from the standpoint of data
20 access and data feeds are a wise issue for the
21 commissions to be focused on and deserve continued
22 focus.

1 CHAIRMAN GENSLER: In that case was it
2 fees or feeds?

3 MR. KETCHUM: Feeds, sorry. F-E-E-D-S.
4 I apologize. We think, indeed, it may be -- we
5 think that includes the question as to whether
6 greater book information and possibly additional
7 analytical information from the standpoint of
8 imbalances in the book and findings ways to have
9 that information more effectively get out to the
10 public and the participants in the market are
11 worth evaluating.

12 In addition, again recognizing the
13 benefits behind the policy, we believe it is
14 appropriate for the Commission to reevaluate the
15 terms and the evolution of internalized business
16 and business from the standpoint of operating
17 outside of exchanges with quoting and transparency
18 obligations. We don't start that with an
19 assumption one way or another with regard to the
20 lack of benefit from a competition and choice
21 standpoint, that internalized business provides,
22 but we recognize that there's been substantial

1 growth and we think the factual indications of how
2 that business flew back to the organized markets
3 during May 6th is instructive and worth at least
4 further analysis and probably interconnected with
5 the question of differentiation with regard to
6 quoting obligations or obligations during periods
7 of volatility.

8 Finally, we think that beyond the
9 questions of how perhaps steps can be taken to
10 make the books somewhat less empty during volatile
11 times, it is a good thing for the Commission to
12 review -- the commissions to review best execution
13 requirements as a little bit discussed earlier,
14 and that while regulation NMS sets up a very
15 specific, very rigid set of requirements with
16 respect to honoring the best bids wherever they
17 exist, the lack of specificity with regard to the
18 care in interacting with orders across all limit
19 order books, the lack of specificity with respect
20 to the use of orders that may not reflect the
21 risks of high volatility in short periods of time
22 to some of the questions raised by Chairman

1 Gensler, we believe, deserve to be re-reviewed,
2 and while that doesn't suggest that we think that
3 it's not appropriate for individual markets to
4 experiment with different ways of providing that
5 liquidity, we do think that the overall question
6 and the issues of guidance from a standpoint of
7 best execution would be important to return to the
8 agenda of the Commissions and we would recommend
9 that be reviewed as well.

10 And with that, I think the last piece,
11 which better for not a self regulator to talk
12 about, is the question of regulatory oversight and
13 I'll pass that back to Jack and we promise we'll
14 shut up.

15 CHAIRMAN GENSLER: That's all right, so,
16 Rick, I thank you for -- you've completed your
17 subcommittee report.

18 MR. KETCHUM: I may not have done it
19 ably, but I'm done talking with respect to our
20 subcommittee report.

21 MR. BRENNAN: And I will shortly follow
22 him. But the last theme and the last issue that,

1 actually, again has permeated the whole work here
2 and is the question of actually your, and broadly,
3 the Commission's access to information, and,
4 again, listening to the staff this morning, I
5 think it was reiterated in some ways about how
6 much we've learned in this process already, but at
7 a high level, a couple of specific issues that we
8 thought were worth, at least, examining and the
9 first one is specifically why it's not appropriate
10 for Rick to be presenting this part, and that is,
11 the whole question, as we've discussed, of a
12 consolidated audit trail on the stock markets.
13 And the how, the why, at what cost, real time
14 versus after the fact, and, you know, clearly a
15 major issue for the SEC and one that we believe
16 this event and the diagnostics around this event
17 highlighted.

18 Secondly, as Rick just talked about, the
19 whole -- in both markets -- the whole transparency
20 of order books and how that helps you do your jobs
21 most effectively, both from a prophylactic
22 standpoint and on an ongoing oversight standpoint.

1 The third, and this is -- it actually
2 was alluded to again with regard to the
3 information flow, and it's the whole question of
4 understanding the exchange's business models
5 today, because as we know, business models have
6 changed drastically and does that have an impact
7 on behavior? We know incentives create behavior
8 -- are there to incent behavior and will create
9 different ways of doing business and it's a very
10 high level question, but we think it's one worth
11 contemplating and how does it -- how has it
12 changed the way each of the commissions needs to
13 think about their relationships and, importantly,
14 again, the behaviors of those exchanges both with
15 customers and then competitively with each other.
16 A big question.

17 And then the last issue with regard to
18 the whole information access for you all, it was
19 already addressed, but I think we would
20 reemphasize it strongly is the understanding the
21 algorithm, the effect, and the nature and the
22 utilization of algorithms. You know, for some of

1 us it's kind of old news, but it's always new news
2 in that old news and you realize just how vital it
3 has been to this specific episode and your
4 opportunity to get deep and knowledgeable about
5 those in all of the markets again and
6 understanding how firms like mine use them, but
7 what their ramifications are.

8 So, Chairman Gensler, that concludes our
9 subcommittee's report. Our hope is that now that
10 we've stopped talking, I know there's lots of
11 insights and opinions on the part of the committee
12 members and there's much more depth below these
13 high level oversights, so I hope we can engage in
14 a conversation.

15 CHAIRMAN GENSLER: Absolutely. I think
16 -- I don't know whether I officially accept the
17 reports, but I'm going to turn to Chairman
18 Shapiro.

19 CHAIRMAN SHAPIRO: Thanks, Gary. First
20 of all, thanks to the subcommittees, in particular
21 to Jack and Rick. I thought this was extremely
22 helpful. You raised incredibly thoughtful points

1 and have laid out for us, I think, a lot of work
2 that we need to continue to do to address what I
3 believe are the right issues that you've raised.

4 I wanted to go back to one thing Rick
5 said, internalization is an issue we've been
6 particularly interested in for a long time, not
7 just because of the events of May 6th when we saw
8 what had been internalized order flow going back
9 into the markets, but as you can imagine, the
10 markets raise -- the organized markets raise it
11 with us all the time.

12 I wonder if you have any just very
13 preliminary thoughts about what we should be
14 exploring there specifically? I mean, I can
15 imagine a range of responses to do nothing at all,
16 all the way to, perhaps, ban internalization, to
17 something in the middle that might require price
18 or size improvement, if you internalize, and I
19 just wonder if you had other points in the
20 spectrum that we ought to be looking at and
21 thinking about?

22 MR. KETCHUM: I don't personally. I

1 think your concept release set out the issues,
2 both the benefits from choice and competition and
3 the issues with respect to proprietary trading,
4 different definitions of internalization with
5 respect to the firm's own order flow, their
6 customers, and aggregating a variety of broker-
7 dealers order flow in different models, and I --
8 as an old NASDAQ, I would note that in a market
9 structure that no longer exists, the effective
10 result of that requirement where internalization
11 really mostly existed in the old world was in the
12 NASDAQ market. There was always a requirement, at
13 a minimum to quote reasonably related to the
14 market and that there was transparency associated
15 with any dealer trading that existed in NASDAQ.
16 That, for a variety of legal reasons, et cetera,
17 has kind of disappeared over time. That would
18 seem to me to be a starting point. The question
19 of price improvement and what's appropriate for
20 that, I think, are issues that the committee
21 thought were important for the Commission to
22 explore, but I don't think -- while individual

1 committee members might have views one way or
2 another, I don't think we, as a subcommittee, had
3 a view one way or another.

4 CHAIRMAN GENSLER: It strikes me, while
5 it's beyond the remit of what we're doing today,
6 it's certainly within the advice that we look for
7 from this committee is, there's a whole market
8 that both of us are -- right now is internalized,
9 but we're taking on, is the swaps market as well,
10 and so, I mean, the futures market has not had
11 this issue of dark poles, but in a sense we have
12 because swaps are just another derivative that is
13 dark, and I don't want to compare the percentages.

14 If it's 30 percent there, the swaps markets are
15 bigger. So, as we directionally move to bring the
16 swaps market into greater transparency, some of
17 what you've said today is really helpful for that
18 market as well.

19 MR. KETCHUM: And just know, Chairman
20 Gensler, that I think you're absolutely right on
21 that. And it really goes to the point we made
22 before that the asset integration of trading today

1 is so dramatically different than it was even 10
2 or 20 years ago, that the opportunities that come
3 from swap dealer regulation from the standpoint of
4 both transparency and information from a
5 regulatory oversight standpoint, I think, is
6 tremendously important. And I look forward to the
7 environment you get afterwards vis-à-vis -- and I
8 think a large part of what Jack raised is that
9 consolidated audit trails, as, I think, the
10 Commission basically said in its release, now has
11 to not stop at borders across commodities and
12 futures and over- the-counter derivatives and the
13 like, because each of them impact the other. And
14 the regulation of the swap dealer marketplace, I
15 think, could provide some real opportunities for
16 significant enhancements in the sophistication of
17 surveillance.

18 CHAIRMAN SHAPIRO: Could I ask another
19 question maybe generally of the committee?
20 International regulators have been following May
21 6th very closely, as you can imagine, and as they
22 see their markets evolving some to look more and

1 more, particularly in Europe, like the U.S.
2 Market, did you -- have you talked at all about or
3 could you perhaps at another committee meeting
4 start to give some thought to the extent to which,
5 as we begin to take steps in this area, we need to
6 be coordinated with our international
7 counterparts. I wouldn't imagine on everything
8 that that would be necessary, but I would imagine
9 that there would be discrete areas where we might
10 move to address certain issues, that we don't want
11 to have an unintended effect on the migration
12 business out of the United States or create an
13 opportunity for arbitrage for a less
14 well-regulated market.

15 MR. KETCHUM: Well, I'd certainly say
16 yes although some of the things we're just trying
17 to catch up to you on such as consolidated trade
18 reporting to get there, but decisions as to how to
19 deal with the liquidity fragmentation issues, I
20 think, makes great sense for their consultation.

21 CHAIRMAN GENSLER: Maureen?

22 MS. O'HARA: I think one of the things

1 that emerges from looking at these episodes is
2 that the nature of markets today is just very
3 different, the nature of where liquidity comes
4 from, and one of the things that actually -- is
5 highlighted by May 6th is that in general, markets
6 actually work extraordinarily well, it's these
7 episodic periods of ill liquidity that are
8 obviously not acceptable. And there have to be
9 ways to deal with these. One of the things that
10 becomes clear is that trading now is at such speed
11 that a lot of trading decisions are made ex ante,
12 that is people choose the algorithms that they're
13 going to use and then the algorithms go off in
14 trade, and poor decisions up front can result in
15 poor outcomes at the end.

16 I think the same thing translates into a
17 mandate for regulation that's on an ex ante and
18 not an ex post basis, that it's almost too late by
19 the time the problem emerges to have the
20 regulatory response. And so a lot of what I know
21 our subcommittee chatted about, and I would feel
22 confident, but don't know, that the other

1 subcommittee talked about, is that the regulatory
2 structure now has to move to an ex ante basis.
3 And a lot of the things that we've talked about,
4 the notion of pre-specified trading halts that
5 will occur, right, before any event occurs, we
6 know that that's going to occur, I think that's
7 the nature of regulatory structures going forward.

8 And I think a lot of the suggestions
9 that we will be making will be, how do you move a
10 regulatory structure that in the past probably
11 operated more ex post because trading was slower
12 and couldn't be done that way, into an ex ante
13 basis? And how do we measure the risks of the
14 various types of challenges that arise in
15 high-frequency worlds so that we're not dealing
16 with these problems in the past, that we're
17 monitoring and going forward? And that speaks to
18 questions about, how do we want exchanges to
19 provide information so that traders can see what's
20 happening without, sort of, having to react as
21 well?

22 Those are issues that, I think,

1 internationally arise because we're all dealing
2 with the same issues, and one of the things that,
3 I think, you know, the good news that comes out of
4 all bad events is, you know, life is never wasted,
5 you could always serve as a bad example. We now
6 have an opportunity to be a good example in taking
7 all the things we've learned and suggest a
8 template, not only for how we will regulate
9 markets, but how markets in general, so, I'd like
10 to think that we can go there and cooperate, of
11 course, we don't have all the good ideas on our
12 side, but we have had the opportunity to think
13 about these.

14 CHAIRMAN GENSLER: Maureen, thank you.
15 I'm wondering if I can quote you with my kids on
16 that last piece.

17 MR. BRENNAN: That's so much better than
18 the camel thing. Let's stay with that one. That
19 is really good.

20 CHAIRMAN GENSLER: Brooksley?

21 MS. BORN: Well, just one small specific
22 point on internalization that I think shouldn't be

1 lost, you know, the report indicates that a great
2 deal of the broken trades were retail customer
3 trades that an internalizer sent to the public
4 markets and because they were market sell orders
5 and/or stop loss orders, they were executed at the
6 bottom, and it seems to me that really raises some
7 issues about whether that kind of order is
8 appropriate for retail customers and whether
9 internalizers should be accepting those orders
10 without limits.

11 CHAIRMAN GENSLER: David?

12 MR. RUDER: I have a couple of points.
13 The first, I think, is something that we know
14 about as regulators and that is that the
15 technology of the markets is something that we
16 find it very difficult to anticipate. And I've
17 always said, and it may be a shame, but it's true,
18 that the regulations follow market innovations.
19 So, I think we need to be aware in our -- in
20 whatever's done, that we don't restrain the
21 markets so that they can't accomplish their true
22 purposes.

1 And that sort of drives me to sort of
2 where we were talking about, the market
3 information process. It seems to me we're not
4 going to prevent the high-frequency traders from
5 getting information first and having an advantage
6 over the retail customers, so we need -- the study
7 has to be some way in which to impose some costs
8 on -- for those people in terms of advantage. And
9 we used to have costs in the days of the
10 specialists, we used to have costs that were
11 imposed on specialists. We had market continuity
12 report requires no gapping, sometimes we asked a
13 specialist to step up in times of problems. And
14 what we're really talking about is whether or not
15 there should be some obligations placed on the
16 people who are in the high- frequency trading
17 mechanism and whether there should be some
18 requirements that they provide liquidity, and
19 that's sort of the broad picture. I don't know
20 whether you want to call them market makers and
21 place market making obligations on them, or
22 whether you simply want to say, here are your

1 liquidity preserving obligations.

2 I had one thought that's been coming to
3 me due to a seminar I've been operating on this
4 same subject, but the question is whether or not
5 the decimalization process has affected the
6 markets. The fact that the quotes are in a penny
7 really prevents, I think, the market-making
8 function from existing the way it used to be. You
9 don't have clusters of liquidity around pricing
10 points, and one of the suggestions that might be
11 looked at is whether or not the pricing intervals
12 should be increased from a penny to two pennies or
13 three pennies or a nickel so that you could then
14 reinvigorate the market-making structure, and I
15 see this as an economic incentive that might be
16 inserted in the markets instead of a regulatory
17 incentive, because if you do create incentive for
18 market making, then you may get more liquidity.

19 CHAIRMAN GENSLER: I had a -- Susan?

20 MS. PHILLIPS: I guess I'd like to make
21 just a couple comments, first of all on the
22 report, and compliment the staffs for a deeply

1 forensic analysis and I don't think I've ever seen
2 this much detail packed into 300 pages between the
3 two reports. And I think one of the things that,
4 you know, when you see in detail the different
5 types of trading that's going on, you realize that
6 the fragmentation is occurring in a variety of
7 different ways, not just between exchanges and
8 over the counter, but between and among computers
9 and people, and computers and people have
10 different reaction times. And I have to say that
11 when we first, within our subcommittee, started
12 talking about the first report, in particular, you
13 know, the thought that we were going to start
14 seeing lots more pauses and lots more constraints
15 on the market, I have to say, as sort of a more
16 free market-oriented person, gave me significant
17 pause myself. But I'm becoming -- I'm coming to
18 an understanding that some of these things, like
19 the LRPs, some of the pauses, even the limit
20 up/limit down kinds of things do provide a way for
21 all of the participants to get the information
22 within a reasonable amount of time.

1 And so, I think, as you're going
2 forward, in looking at some of these trade -- you
3 know, these various constraints and perhaps
4 guidance for broker dealers, for example, to try
5 to balance keeping the markets operating, as David
6 has mentioned, but at the same time creating an
7 environment in which all market participants can
8 participate.

9 You know, in some ways I think it's
10 really very surprising that the markets recovered
11 as quickly as they did. I mean, the fact that
12 they came back as quickly as they did, I think,
13 does demonstrate the strength of the overall
14 market system, and including the fact that you had
15 all of this back and forth between all of the
16 different markets and traders.

17 I do think that there were some things
18 that -- sort of structural things that had been
19 brought out by this learning opportunity of May
20 6th and it's not just -- you know, we talk about
21 transparency, but the question then is, what is
22 meaningful transparency. I mean, you can dump a

1 lot of data out there and it may not be all that
2 helpful, and one of the things -- just to give an
3 example, and Maureen has talked about this in our
4 subcommittee calls -- maybe there are different
5 kinds of data that would be useful for either the
6 exchanges or through some kind of consolidated
7 reporting system such as depth of the limit order
8 book. You know, to the extent that you know, you
9 know, how many orders are available on either side
10 of the market should give some guidance to market
11 participants in the way they submit their
12 executions, and so maybe some simple -- some kind
13 of simple ratios would be useful to traders to try
14 to figure out. And I would hope that the
15 exchanges themselves would be thinking about this
16 kind of information because it does give more
17 confidence to traders that they know what they're
18 doing when they drop an order in there.

19 So, I think that in many ways it's kind
20 of surprising that this very sizable move in the
21 market one day, we had fairly quick recovery and
22 yet we do have an opportunity to make some

1 changes, and both commissions have started down
2 the path, and the exchanges have too, it's not --
3 exchanges, FINRA, NFA -- I mean, they're looking
4 at things they should be doing to try to figure
5 out a way to prevent these things because it is a
6 market confidence issue. So, I commend you, but I
7 think it's going to be an iterative process and
8 it's going to take some pilot programs like this.
9 Limit up/limit down works in futures markets
10 pretty well. That's been a tradition for many
11 years. But you also have a pit style trading and
12 is it going to be transferrable to securities
13 markets where you've got fragmented markets.

14 So, it's hard to know how the
15 implementation will work, so I think it's going to
16 take a bit of experimentation, some pilot
17 programs, but it seems to me that you're on the
18 right track.

19 CHAIRMAN GENSLER: Rick. I'll just save
20 my questions.

21 MR. KETCHUM: Well, I'd just add on to,
22 I think, Chairman -- former Chairman Phillips'

1 really good points. Maureen's probably too shy to
2 note it, but she's done what I think are two
3 exceptional papers that I'd recommend to the
4 Commission on -- that propose ways of thinking and
5 measuring with regard to order toxicity.

6 CHAIRMAN GENSLER: I've read ones that

7 --

8 MS. O'HARA: We distribute it, yeah.

9 CHAIRMAN GENSLER: -- the one with the
10 tutor. What's the other one?

11 MR. KETCHUM: Well, no, one of them is
12 actually in English for lawyers and then the other
13 one does the numbers that you can read, Gary, but

14 --

15 CHAIRMAN GENSLER: No, no.

16 MR. KETCHUM: I really like the one that
17 was English for lawyers.

18 CHAIRMAN GENSLER: That one I might not
19 be able to read.

20 MR. KETCHUM: No, that one I can't read.
21 No, you can read them both. The point I really
22 want to make on it other than the fact that it was

1 terrific, terrific original work is that it does
2 describe again the questions of what makes books
3 empty. And what makes books empty is uncertainty
4 and risk and it is interesting how VIX contracts
5 have provided the ability to address one set of,
6 in a fairly gross way, uncertainty and risk.

7 I think greater information on things
8 that in some way or another are a foreteller of
9 toxicity or even the possibility of contracts that
10 allow liquidity providers to more effectively
11 hedge the risk of informed order activity or
12 otherwise high-risk activity for liquidity
13 providers could be really valuable and it's for
14 that reason I mention Maureen's -- and I think
15 Susan's absolutely right, that that type of
16 exploration from the standpoint of, how do you
17 create an environment where people can offload
18 some of that toxicity risk.

19 CHAIRMAN GENSLER: Can I just ask one of
20 my two questions because it relates to the empty
21 books? My question for the group that you might
22 think about today and further is this empty book

1 scenario, might that relate to market pauses? I'm
2 bringing the two together. But market pauses
3 currently in the equities markets and cross market
4 are about price movement, but they don't
5 necessarily speak directly to the empty book
6 issue.

7 CHAIRMAN SHAPIRO: Except to the extent
8 they exist to help rebuild the book.

9 CHAIRMAN GENSLER: Right, they
10 certainly, as Chairman Shapiro says, exist to
11 rebuild the book, but they're only triggered by a
12 certain price movement and a certain time period,
13 either like cross markets since the prior day's
14 close or the pilot program that has had, I think,
15 some very real effect, but it's still uncertain
16 over minutes. And I highlight that because in the
17 futures marketplace there was a market pause that
18 does relate somewhat to the book. The stop loss
19 limit that occurred on May 6th was because the --
20 I guess it was the next trade would have been down
21 six full points, six handles, right? So, it
22 somewhat relates to the book, but that book in the

1 E-Mini which started the day with about 100,000
2 contracts and the order book went both sides, it
3 was about 50,000 contracts when the large trade
4 came in, was down to 1,000 by -- resting buy
5 orders. And so, fortunately, the stop loss
6 happened, but you could have seen that, what if
7 that 1,000 order was inside the 6 points, they
8 would have actually probably just -- excuse the
9 expression -- but blown through the order book as
10 happened to Ascencia and other things in the
11 equities market. So my question for the group is
12 -- to keep it in English is -- might any part of
13 the order book, maybe in the futures market more
14 relevant than the securities market, relate to
15 market pauses, again, as Chairman Shapiro said, to
16 rebuild to book or give it -- maybe give it five
17 seconds, five minutes, whatever, to rebuild?
18 Maureen?

19 MS. O'HARA: I think, you know, our
20 committee did speak about these issues because it
21 -- the challenge in a high-frequency world, and in
22 a world, as David Ruder has born it out so well,

1 that resting orders on books now is not -- is
2 certainly in equities as common as it was, and so
3 liquidity almost has a just in time feel to it.

4 The challenge of an empty book is, you
5 know, once you have an empty book you really are
6 dead in the water, in a sense, and so providing
7 proactive information about the state of the
8 amount of liquidity seems a sensible approach to
9 go. I think the futures stop logic was really
10 demonstrated as an excellent approach in the sense
11 that the stop logic was ex ante, it moved in
12 advance of what was going to be a disaster. I
13 think we have to sort of keep that in mind. And
14 you're right, it's not necessarily basing things
15 off of the price that just happened, it has to
16 look forward and think about the prices that will
17 happen. It's a lot easier to do that in futures
18 though than it is in a fragmented equity market,
19 and therein lies the real challenge that,
20 unfortunately, our subcommittees did not solve,
21 but I think the issue is one that we do have to
22 think about and it may have to do with disclosure

1 of depth on books so that routing decisions are in
2 advance realizing you don't go there because it's
3 going to create problems. But I think that is a
4 huge, huge issue and not completely solved by just
5 simply limits in prices.

6 CHAIRMAN GENSLER: So, if I can leave
7 that question, at least from one of your
8 colleagues or chairs, is just whether market
9 pauses themselves have any triggering from
10 information from the book, the emptiness of the
11 book, or the thinning out of the book.

12 MR. BRENNAN: One of the questions
13 though that we've heard loud and clear, and this
14 is not just the book but sort of what's real
15 liquidity and what's ephemeral liquidity has
16 changed a lot Maureen in terms of what we think it
17 is. So, because of the withdrawn orders that that
18 happens often, and so it's a great question and it
19 would be a fourth or fifth catalyst for a market
20 pause and we'll certainly kick it around, but
21 there's a subsidiary question that I think we kind
22 of scratch our heads on at this stage seeing what

1 we see from this --

2 CHAIRMAN GENSLER: You mean, what's real
3 liquidity?

4 MR. BRENNAN: Yeah.

5 CHAIRMAN GENSLER: I'm sorry.
6 Brooksley?

7 MS. BORN: Well, I mean, I'm going to
8 pick up on that point because I really think that
9 some in depth looking at high-frequency trading is
10 necessary and demonstrated by the staff's report.
11 It's pretty clear in the staff's report that
12 although that one trader who put in the very large
13 sell orders on the E-Mini was a trigger, the
14 high-frequency traders moved in immediately,
15 bought it, decided whoops, you know, their
16 algorithms told them to dump it, and a number of
17 them then withdrew liquidity from the market.

18 I do think investor confidence in the
19 markets is being affected by perceptions that
20 high-frequency traders have unfair access to the
21 markets and unfair access to market information,
22 and it seems to me that it would be very

1 beneficial for the commissions to look at this
2 carefully. The suggestion, I think, David Ruder
3 made that perhaps with their advantages of
4 information and advantages of access, they should
5 also have some responsibilities of either a
6 market-making kind of role to some kind of role to
7 maintain liquidity would be useful to explore. I
8 also think there are some major problems with
9 order cancellations which, at least from a futures
10 perspective, would seem to almost, you know, be
11 fraudulent orders, putting in orders that you have
12 no intention to do anything but probe for where
13 the rest of the market is.

14 So, I would strongly recommend that some
15 looking at the role of high-frequency traders, the
16 impact they have on liquidity, the appropriateness
17 of their activities, and whether they should be
18 regulated in some meaningful way.

19 MR. RUDER: Could I just talk about
20 something else slightly different? I think that
21 with regard to halts and pauses that the
22 commissions need to be careful that they're

1 talking about two things. One is a circuit-wide
2 -- one is a market-wide circuit breaker and the
3 other are the single stock circuit breakers. But
4 if you're talking about the market-wide circuit
5 breakers I think we're in a very new world because
6 we not only have the futures contracts which
7 effect the whole securities markets, but we now
8 have the spy contracts, the ETF contracts, which
9 are somehow demonstrative of where the market's
10 going. So you need to structure the circuit wide
11 -- the market-wide circuit breakers in order to
12 take into account what kind of signals are being
13 made from the ETFs.

14 And the second thing that's just -- that
15 is interesting is that we're not talking about
16 circuit breakers that are going to be a long pause
17 so that the market can get all the information.
18 Rick, we did half an hour or an hour in 1988 and
19 --

20 MR. KETCHUM: Both.

21 MR. RUDER: Both. Yeah. And they're
22 the ones that are still in place, but we're

1 talking about what the machines are thinking
2 about, and we need to know, I think, in looking at
3 the algorithms, what kinds of inputs the algorithm
4 -- the algo-makers are putting in in terms of what
5 their activities are going to be if certain events
6 take place, and I don't know how you get that
7 information. Maybe the staff can go out and ask
8 everybody what are your aglos -- what are your
9 protections against major crises?

10 CHAIRMAN GENSLER: You know, I say one
11 thing, I was in Chicago this week for a number of
12 reasons, mostly with Michelle Barnie, who's the
13 European Commission for Internal Markets, but I
14 also, Monday night, had a dinner with eight
15 high-frequency traders. These were founders, I
16 think amongst them, they did say at one moment --
17 and they weren't bragging or anything -- they
18 said, you know, there's some days that the eight
19 of our firms are probably half the volume in
20 certain contracts. And I didn't ask which, but
21 amongst them, they all wanted what Andre said
22 earlier. They want certainty. They want to be

1 able to program their programs and if something's
2 going to be deemed a disruptive trading practice
3 ex ante, they want to know it. They want to put
4 it in their boxes so that they -- you know, they
5 do that.

6 But they did have one suggestion that
7 I'd be curious of your reactions to. Again, you
8 don't have to react today. They'd like the
9 exchanges to have more, both in the equities and
10 in the futures markets, the exchanges have more
11 risk parameters. And I said, well, they do have
12 them, they have price banding and they have a
13 whole sort of things, like in the E-Minis, you
14 can't put more than 2000 orders in. And they
15 said, well, our programs could break down and we
16 could, for instance, have like a machine gun, a
17 1,999 volume, you know, just hit, repeatedly.
18 They actually all wanted -- they'd like the
19 exchanges to have something to shut them down at
20 some point as a risk parameter.

21 It was just an interesting -- to your
22 point. There may be other things like that.

1 MS. O'HARA: I think that one of the
2 things we have to bear in mind is that the
3 exchanges are in the business to do -- you know,
4 to provide liquidity and execute volumes and I
5 think the exchanges would love to design things
6 that work well. I think the firms are not out to
7 ruin markets. I think they're out to try and
8 provide a service and create liquidity and they
9 need tools. And I think part of what the
10 regulators can help do is really give a template
11 for both groups to think about how they fit into
12 this.

13 So, I think that one advantage that will
14 come out of this is I suspect firms will be
15 looking to be smarter and exchanges will be
16 looking to be smarter and I certainly think the
17 great work the staff has done really helps all the
18 parties figure out the new world in ways that I
19 don't think anyone completely appreciated before
20 this started.

21 CHAIRMAN GENSLER: Commissioner O'Malia?

22 MR. O'MALIA: Thank you. I'd be

1 interested to know -- following on your point,
2 Gary -- the issue of direct market access and
3 should the exchanges have these authorities to,
4 you know, set the access barriers.

5 The FIA did a paper, which was the first
6 issue the Technology Advisory Committee here at
7 the Commission looked at, on direct market access.
8 I was wondering if either subcommittee looked at
9 that paper and had any thoughts about it and/or
10 additional recommendations that should be
11 implemented to support that?

12 CHAIRMAN GENSLER: And if you haven't
13 seen it, we'll make sure Andre or somebody will
14 send it around.

15 MR. O'MALIA: Either the staff or the
16 advisory committee.

17 CHAIRMAN SHAPIRO: I guess I'd be
18 curious as we figure out this issue about whether
19 exchanges should have more risk parameters on the
20 extent to which it will really be important that
21 they be the same at all the exchanges and all the
22 venues or we've just actually made the world a

1 little bit more complex by having order routing
2 decisions then made based on individualized risk
3 parameters at each of the different exchanges or
4 trading venues.

5 MR. KETCHUM: It seems to me there's
6 sort of a nuanced answer to that. I do think that
7 the Commission's role on the equity market side or
8 the stock side, is critical, because we shouldn't
9 have the illusion that the exchanges have the
10 leverage to be able to act. They are commoditized
11 from a competitive standpoint. The flow of --
12 order flow even with respect to minor pricing
13 changes is quite remarkable at this point and I
14 don't think any exchange can make a series of
15 decisions from an access standpoint and providing
16 things that may both reduce speed, to some degree,
17 and/or reduce some order -- the flexibility of
18 some orders. I think the Commission has to
19 fundamentally define it.

20 I would say within that context, though,
21 I would be a little cautious in not letting -- if
22 an exchange feels they can differentiate in that

1 way, letting them go beyond a Commission minimum
2 because I think, first, it provides different
3 alternatives for best execution decisions or
4 execution decisions, and secondly, it provides an
5 opportunity for experimentation, but I do think
6 you're right, Chairman Shapiro, that the only way
7 this will work on the stock side of the equation
8 is for the Commission to be very aggressive to
9 ensure at least a minimum level of consistency.
10 Experimentation beyond that, perhaps, but a
11 minimum level of consistency because the exchanges
12 just don't have the leverage.

13 CHAIRMAN GENSLER: Do you know of the
14 FIA?

15 MR. KETCHUM: I would love to see the
16 FIA paper, but I have not had a chance to review
17 it, and I'd certainly be very interested in
18 including it in the committee's evaluations.

19 CHAIRMAN SHAPIRO: Maybe we could
20 circulate both of the two papers Maureen's done
21 and the FIA paper to the committee later today.

22 CHAIRMAN GENSLER: Joe? I haven't heard

1 you and I don't know if you're still there.

2 Professor Stiglitz?

3 MR. STIGLITZ: I'm still there. I don't
4 have anything particular to add at this point
5 except for a point that I've made on other
6 occasions which is some people have, you know,
7 remarked about -- well, two points. One is the
8 function of the market, and obviously I keep it
9 focused on what do you mean by the function of the
10 markets. And here, I guess, is related to the
11 other point that I've raised which is one needs to
12 see the whole ecology, the whole system, as they
13 interact. And I've been concerned -- this issue
14 that we've raised on other occasions -- that the
15 -- one of the things that's going on here is that
16 the flash traders may be extracting ranks from the
17 fundamental traders by trying to extract
18 information from them, and, therefore, actually
19 undermining the efficiency of the market as a
20 whole. That is, to put it another way, you know,
21 the paper that Sandy Grossman and I wrote a number
22 of years ago showing that if we had fully

1 efficient markets, nobody would have any incentive
2 to invest information because prices convey
3 information and that eliminates the incentive for
4 anybody to get it. And the problem here is that
5 flash trading may in effect increase the
6 efficiency of extracting information from the
7 informed to the uninformed in the sense that flash
8 traders aren't using information that is of any
9 fundamental value. They're using information --
10 they're getting information from others who are
11 getting information and, therefore, undermining
12 the efficiency of the market.

13 And therefore, a lot of the discussion
14 about worrying about is it interfering with the
15 functioning of the market looking at it from the
16 perspective of the flash traders, or from those
17 engaging in these very fast trades I think may be
18 fraud in terms of what our broader objective --
19 which should be protecting consumers, investors --
20 ordinary investors, and in terms of making a
21 market that is truly more efficient.

22 CHAIRMAN GENSLER: I couldn't tell

1 whether people wanted to comment on Joe's comment.
2 Jack?

3 MR. BRENNAN: The one comment would be
4 that his comment on fundamental traders believing
5 they're paying rent to high-frequency traders is a
6 deeply felt position on the part of what we call
7 much more traditional traders. We actually had
8 one on one of the panels from Southeastern Asset
9 Management and you would think they were long-term
10 holders and why do they care, the rest of this is
11 noise, but there is a deeply held view, partly on
12 Brooksley's point that there really is an effect
13 that one wouldn't think was natural, but because
14 of being on this panel I hear from lots of
15 "traditional, fundamental traders" that they
16 believe there is a curious effect on the
17 efficiency of the market when one would think that
18 it had the opposite effect.

19 MR. STIGLITZ: Theoretically, you can
20 show that that has to be the case. So I -- you
21 know, I was on the phone on that meeting and I
22 remember his saying that and I get that from other

1 people, too. But I just wanted to say that
2 there's actually a very strong theoretical case
3 for what -- for that claim that they're making.
4 In fact, it's hard to believe it's not true.

5 CHAIRMAN GENSLER: That what's not true?
6 So, if you say affirmatively, what is --

7 MR. STIGLITZ: That is to say that they
8 aren't in fact doing any fundamental research on
9 the -- the flash traders are not doing fundamental
10 research on what the underlying supply and demand
11 trends in our real economy. What they're trying
12 to do is extract information from prices, order
13 flow, you know, market -- that's what we're all
14 talking about, how they're trying to gain the
15 algorithms and algorithms are trying to stop them
16 from gaining them, and so forth. And so given
17 that, they aren't adding information, they're just
18 reprocessing other peoples' information, and in
19 that sense, they almost inevitably are taking away
20 -- to the extent that they make a return, they're
21 taking away rents from those who are providing
22 information.

1 CHAIRMAN GENSLER: Joe, at the risk of
2 raising my head above this fox hole about
3 high-frequency traders, but the market ecosystem
4 has some who do fundamental research and has many
5 investors who buy and hold for long term, but it
6 has traditionally for decades had people who were
7 also either specialist or pit traders who one
8 might contend did a modest amount of fundamental
9 research, but very little when you stand in the
10 pits in Chicago. Even today there are still pits.

11 How do you differentiate the people that
12 did that and provided very short-term liquidity
13 based on order, flow, and information from what a
14 high-frequency trader does?

15 MR. STIGLITZ: That's a harder -- you're
16 exactly right. I mean, and the provision of this
17 kind of liquidity is of some value. The real
18 question is, as you go from smoothing out
19 movements from within, you know, within a week to
20 within micro -- within a minute, the social value
21 goes down to zero clearly. And so the question
22 is, the specialists always were, in fact, taking

1 out rents from the fundamental trader, but they
2 were providing a benefit that allowed people to
3 sell the asset when they wanted. But what did
4 that mean sell it when they wanted? It wasn't
5 selling it within the next, you know, nanosecond,
6 it was really, can I convert this stock into cash
7 within a reasonable period of time?

8 So, it's that balance that I think we've
9 lost. I don't think that there is any incremental
10 social value in the price information. That's
11 clear. There's no real -- and is the right
12 provision of liquidity -- the question is, does it
13 actually not drain liquidity from the system for
14 the relevant -- what we really care about which is
15 say fundamental traders who want to be able to
16 have the option of selling when they want -- when
17 they need cash for one reason or another. Does
18 that make sense?

19 MS. O'HARA: Joe, this is Maureen
20 O'Hara, and I think, you know, what I would
21 interpret your remarks as saying is that we
22 shouldn't lose sight of the fact that markets have

1 very important roles, one is liquidity and the
2 other is price discovery. The price discovery
3 role is really based upon the ability of
4 fundamental traders to learn new information and
5 be able to trade on that information in markets in
6 a way that compensates them for their efforts.

7 So, to the extent that any of our
8 structures inhibit the ability of fundamental
9 traders to do the important work of bringing new
10 information to the market, that would be a bad
11 thing and we definitely need to be careful that
12 any sort of practices that degrade the ability to
13 trade on fundamental information are avoided.

14 The issue of whether high-frequency
15 traders, by definition, degrade that is somewhat
16 more challenging because I think the
17 high-frequency guys would argue that they really,
18 instead, allow the markets to have a great deal of
19 liquidity and that allows the fundamental traders
20 to be able to trade on their information and earn
21 a rent. Right? If you learn new information but
22 you can't trade on it, then actually it's going to

1 be difficult to earn rent. So, I think you've
2 raised a very good point for this Commission and
3 the task force to think about which is balancing
4 those two very important topics and making sure
5 that the provision of liquidity doesn't erode the
6 ability to trade on information and make markets
7 efficient.

8 MR. STIGLITZ: That's right, and let me
9 just say, you know, some of the earlier research
10 before the flash trading occurred actually
11 suggested that there could be even advantages of
12 having markets that were not continuous, so you
13 could aggregate liquidity, so if you meet -- you
14 know, markets that met once a day or even less
15 frequently than that, because they -- you know,
16 the price discovery isn't going on in continuous
17 time, but decisions aren't made in those same kind
18 of continuous time and certainly not in
19 nanoseconds.

20 And so that literature actually
21 suggested that there were advantages in terms of
22 liquidity for the fundamental traders and

1 incentives for providing information from having
2 less frequent trading. So, that highlights the
3 point that you just raised and that I was trying
4 to emphasize, that we really want to keep in mind
5 -- and this is (inaudible) I just want to
6 emphasize -- keep in mind what the real functions,
7 social functions, of these markets are.

8 MR. KETCHUM: Just a brief supplement
9 with the leeriness of a lawyer wandering into
10 social good discussions, but I agree with both of
11 the points in some ways. I think Professor
12 Stiglitz rightly says that I think we need to look
13 at the overall impact. I do agree with Professor
14 O'Hara that it seems to me, at least to me, that
15 there is contributions to both market liquidity
16 and market efficiency and pricing that comes from
17 high-frequency trading that shouldn't be ignored.

18 I would say there's one subset that is
19 interesting in viewing trading around the world
20 versus the United States that would suggest that
21 there is at least a reallocation of cost, to some
22 degree. Most of the rest of the world and the

1 world and the United States on the equity side
2 until fragmentation became the reality, charged,
3 at least with respect to ratios of high levels of
4 message traffic with low levels of executions.
5 Without saying whether it's social good or not

6 social good or the rest, it clearly was viewed by
7 exchanges or exchange-like things as less valuable
8 than quoting activity that generally resulted in
9 executions because it imposed significant
10 transactional costs from a technology standpoint,
11 surveillance, regulation, et cetera. That has
12 disappeared from pricing, I think, in the United
13 States in both areas -- not in the futures so much
14 --

15 CHAIRMAN GENSLER: No, actually in the
16 futures world there's still a premium charge if
17 you have high quote or message volume compared to
18 your transaction.

19 MR. KETCHUM: More to my point, in a
20 futures world where there is more concentration,
21 there's some of it --

22 CHAIRMAN GENSLER: But it's only a small

1 premium, but there is a premium.

2 MR. KETCHUM: And I would suggest that
3 even the small premium probably suggests the
4 greater risk of potential competition that exists
5 in the futures market today rather than a few
6 years ago.

7 In the equity market it's completely
8 disappeared. I think it hasn't disappeared
9 because there's a different view with respect to
10 the overall benefit of a low quoting to trade
11 ratio from a cost standpoint, it's disappeared
12 because there's no pricing power left and I do
13 think it's worth the Commission looking from an
14 allocation standpoint with respect to price, at
15 least pricing if nothing else, that I think you've
16 had a reallocation because the exchanges can't
17 price against activity that may generate huge
18 numbers of quotes for relatively few trades in the
19 high-frequency world.

20 CHAIRMAN SHAPIRO: I guess I'd just say
21 that I think this last discussion really points to
22 the issue Jack raised about investor confidence

1 and it's really intimately tied to that, and I
2 would add to that issue of confidence in the
3 quality of the markets and the capability to raise
4 capital efficiently and effectively. I think we
5 have to sort of bare that in mind as an umbrella
6 over this discussion.

7 CHAIRMAN GENSLER: I would agree with
8 that and even though I stuck my head above the fox
9 hole, I just -- you know, I absolutely think our
10 markets have changed because of technology and
11 what was once done in the specialist or in the pit
12 -- seven of these eight high- frequency traders I
13 met with Monday night who founded their own firms,
14 they employ collectively 2,500 employees, and, you
15 know, they're not just 3 people somewhere. Many
16 of them are programmers. But seven of the eight
17 were former pit traders and one was just a
18 software developer, if I remember, because we went
19 around the table and they said how they started
20 their firm.

21 It's all within the last 10 years, some
22 within the last 3 or 4 years. So, it's this

1 dramatic shift of markets and how we maintain
2 investor confidence, issuer confidence in our
3 markets, the hedgers that hedge and use these
4 instruments. It's absolutely critical that the
5 price discovery function and liquidity is
6 provided.

7 I just want to make sure whether Elise
8 or Scott had things or if any commissioners are on
9 the phone, I know Bart Chilton was on earlier.

10 MS. WALTER: I'd just like to plant a
11 thought. I mean, I know we're coming close, to
12 come pick up on two themes that were mentioned
13 before, and that's the interrelatedness of the
14 markets and informational advantages or
15 disadvantages. I would love to get the wisdom of
16 the committee members on -- and this is probably a
17 long-term issue -- how important the consolidation
18 of information across markets in a standardized
19 sort of way that gives people truly comparable
20 access at a comparable point in time in a combined
21 fashion is? I mean, how much of a disadvantage
22 are market participants at that their flows of

1 information are coming from different places at
2 slightly different times?

3 I feel pretty strongly about that in the
4 regulatory area but I don't know whether that's a
5 difficult issue to solve and I don't know how
6 important all of you would think it is
7 particularly with respect to market participants
8 and I don't know if anybody has any comments on
9 that today, but if I could kind of put that on
10 your thinking agenda for the future, that would be
11 great.

12 MR. O'MALIA: I'd also like to extend my
13 thanks to everyone who's participated here, the
14 staff and the committee members. It's interesting
15 to hear some of this debate and it's less about
16 specific recommendations, but also what role the
17 fundamental market plays, and it's an important
18 debate to have.

19 I do have a couple of questions on some
20 of the solutions or potential solutions. We've
21 talked about circuit breakers and pauses and LRPs,
22 et cetera. I'd like to get a sense -- somebody

1 raised the issue of, you know, the book being
2 empty or a fully emptied book. Which of these
3 various remedies might apply to which category and
4 how we can avoid emptying the book and then what,
5 you know, specific solutions are for an empty book
6 and how we restore that?

7 The other one is the speed of the
8 market, and I think Maureen raised it, and I think
9 Susan raised it as well, but what would the impact
10 be of slowing down the market? Would it
11 exacerbate the liquidity crisis or not? And at
12 what point is it -- obviously when it came back,
13 it looked -- the market looked like a great thing
14 to have, all the liquidity coming back as fast as
15 possible. So, if we could take some thought to
16 the speed of the market and whether slow downs in
17 market crisis would help or not.

18 And any solutions on high-frequency
19 trading are more than welcome. Thank you.

20 CHAIRMAN GENSLER: I don't know if
21 Commissioner Chilton is still with us or any of
22 the other commissioners are on the phone.

1 COMMISSIONER CHILTON: I'm here, Mr.
2 Chairman. I know it's awkward on the phone for
3 folks, so I appreciate your patience. But I'll
4 try to be quick and forthright and I won't go too

5 much overboard in thanking everybody, but I really
6 am impressed by both the staffs and our advisory
7 committee with all the discussion now and the work
8 that's gone into this, and particularly with you,
9 Mr. Chairman and Chair Shapiro, with all the work
10 you've done, not just on this, but on the Wall
11 Street Reforms Act. We really owe you a debt of
12 gratitude.

13 My quick takeaways here are, first, as
14 Gregg suggested early on when he was talking,
15 perhaps the circuit breakers need to be more
16 fine-tuned. When I say that it strikes me that it
17 strikes me that the circuit breakers and the stop
18 logic, you know, what's used in the futures
19 market, really need to be harmonized between the
20 two markets. If we do that it might help avoid
21 sort of the arbitrage opportunities that HFTs were
22 looking at in the flash crash and sort of stop

1 that cascading calamity that the staff described.

2 Those sorts of harmonized shock
3 absorbers, if you will, I think could be of real
4 assistance in ensuring some market integrity.

5 And then second, at the CFTC, and the
6 Chairmen refer, I think, briefly to this, we're in
7 the process of coming up with a proposal for
8 mandatory position limit but those position limits
9 are for commodities of finite supply -- energy,
10 metals, and ags -- but given what we saw in the
11 flash crash, you know, perhaps we should be
12 looking at -- I think we should -- limits on
13 financial futures or even, you know, robotic
14 algos. And Professor Stiglitz and Maureen were
15 talking about both of these things, but it seemed
16 to me, so say you have a limit of whatever it is,
17 it's a hard number limit or it's a percentage
18 limit, but for the sake of argument, say it's 10
19 percent, and that might be fine. But should an
20 HFT or an algo trader be able to buy or sell 10
21 percent of the market 10 times in a minute? You
22 know, that has a possibility, it seems to me, of

1 roiling the market.

2 Now, you know, some high-frequency
3 trading cowboys may be able to scoop up the micro
4 dollars in nanoseconds, but it could also disrupt
5 markets. So, it seems that there's a good
6 argument to me, you know, that this sort of
7 trading, as Professor Stiglitz was saying to some
8 extent, could be, you know, in some regard
9 parasitical trading which doesn't really have a
10 great contribution to market efficiency.

11 So, and then the last thing is that, you
12 know, it seems to me as regulators, at least at
13 the CFTC, that we should have some team, Mr.
14 Chairman, that we should have some team of folks
15 who are IT experts, who look at this, look at
16 algos. I know that folks will get concerned about
17 proprietary information -- the exchanges do this,
18 the CME does this a little bit, but there's got to
19 be some responsibility on the government to have
20 some sense. There's a story that's posted on the
21 FT's site, they call it the trading room site,
22 online. Jeremy Grant wrote it, this morning, and

1 it talks about how the Indian regulators both on
2 the securities side and on the futures side, test
3 the algos before they get put into the production
4 environment. I don't know if that's something we
5 should do or not, but it seems to me that we
6 should certainly look at it.

7 And then the final thing, and Mr.
8 Chairman, you mentioned this and Commissioner
9 O'Malia and I have talked about this before, too,
10 whether or not we actually hold folks who actually
11 run these renegade algos accountable, that we have
12 a disruptive trading practice rule out for comment
13 right now. One of the questions is whether or not
14 these algos gone wild. There should be some
15 accountability for them. I mean, I think so. But
16 what that is, Mr. Chairman, you were talking
17 about your meeting with the HFTs the other night.
18 They definitely need some certainty. It would
19 have a quelling impact on the market if we just
20 left it right open, so we've got to have some
21 certainty as to what this is, but it seems to me
22 some sort of parameters with some sort of

1 accountability is needed.

2 But I look forward to getting the
3 comments, and thank you again for dealing with me
4 on the phone. I know it's a little awkward for
5 you guys. Thank you.

6 CHAIRMAN GENSLER: No, thank you,
7 Commissioner Chilton. And I think Commissioner
8 Dunn is on the phone as well.

9 COMMISSIONER DUNN: Yes, I am. Mr.
10 Chairman, thank you and thanks to this advisory
11 group and the staff for all their hard work
12 they've put in this, and especially to Rick and
13 Jack for being volunteered to chair up those
14 subcommittees. I really appreciate it. I was
15 most interested in your reports, but I guess,
16 Maureen, you get the tip of the hat for the quote
17 of the day. I certainly hope we don't end up as
18 that bad example.

19 Two points that come to mind to me
20 listening today, and first of all, to the two
21 chairmen, I think it is just absolutely imperative
22 that we go forward on getting the definitions out

1 for our implementation into Dodd-Frank, and
2 frankly, I'm disappointed that staff has not been
3 able to reach conclusions on that. It looks like
4 we're slipping a little bit in the timeframe that
5 was our goal. But I think it's important that we
6 all are working with the same nomenclature and as
7 I listen to this discussion today, that just has
8 been reinforced, so please make sure that we get
9 those definitions as soon as possible. There's
10 just too much uncertainty out there without
11 knowing what we're actually talking about.

12 The second point on the high definition
13 and algorithms, I had said during our technical --
14 Technology Advisory Committee meeting that I
15 wanted to know who was responsible for oversight,
16 who should be privy to what algorithms are based
17 on, who should be regulated and how can they be
18 regulated, and the discussion today has really
19 moved this, in my mind, much further down the
20 road. I have a much better understanding of what
21 the expectations are out there.

22 Mr. Chairman, your meeting with the

1 high- frequency traders reminds me of the old
2 B-grade movies where the detective walks into the
3 murder site and sees written on the mirror:
4 Please stop me before I kill again. I think it's
5 incumbent upon us to have that certainty, I think,
6 as a template or best practices guides are
7 something that we need to be working on.

8 And the third issue is funding. I would
9 be remiss if I didn't bring this up because
10 everything that the advisory group has talked to
11 us about today I see as going to be requiring some
12 type of financial output on the behalf of both
13 commissions. And to that end, since so many of
14 the issues that we have are a result of the
15 interrelationship between the two markets, I think
16 it is incumbent upon the CFTC and the SEC to work
17 collectively on these issues and not to be
18 reinventing the wheel in both commissions. I
19 think we can save some money for the taxpayers,
20 but, again, without the fiscal and human resources
21 to address these issues, it's going to be the
22 public and the financial world that's going to be

1 the victim if we don't have those resources.

2 So, again, thanks to all the members of
3 the advisory group, and especially the staff for
4 the work that they've done.

5 CHAIRMAN GENSLER: Thank you,
6 Commissioner Dunn. I want to assure you it was a
7 dinner that I asked for and it was very helpful.
8 It was very helpful.

9 These are active participants in our

10 markets. I just wanted to -- we do have to
11 approve minutes, but I also wanted to suggest that
12 if each of the committee members could get back to
13 the staffs on your availability in early January.
14 What we'd like -- I know it's an ambitious
15 schedule, but what we'd like -- and Chairman
16 Shapiro and I were just chatting so this is maybe
17 a little audible here, meaning our staffs don't
18 know I was going to say this -- but what we'd
19 really like is that if you all could be prepared
20 to come back with some recommendations, you know,
21 through your subcommittee work and whether you
22 need some ways under the FACA committee to have

1 conference calls and so forth, but this committee
2 doesn't go away after January. I mean, you
3 continue to advise us, not just on May 6th, but on
4 other matters, but if we can try to get to that in
5 this timeframe, that would be terrific.

6 So, but with that, finally we need to
7 actually discuss and approve the minutes of the
8 August 11th meeting of this committee. And I
9 think I'm supposed to --

10 MR. BRENNAN: Second.

11 MR. KETCHUM: Third.

12 CHAIRMAN GENSLER: Any discussion? All
13 in favor.

14 GROUP: Aye.

15 CHAIRMAN GENSLER: Any opposed? Minutes
16 being approved. And I think then given the
17 minutes, we're done.

18 I think the next thing I'm supposed to
19 do -- do I need a motion to adjourn this meeting?
20 Just want to make sure. All in favor.

21 GROUP: Aye.

22 CHAIRMAN GENSLER: Thank you so much.

1 Thank you, Commissioner Chilton and --

2 CHAIRMAN SHAPIRO: Thank you all very
3 much.

4 (Whereupon, at 11:57 a.m., the
5 PROCEEDINGS were adjourned.)

6 * * * * *

7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

CERTIFICATE OF NOTARY PUBLIC

DISTRICT OF COLUMBIA

I, Steve Garland, notary public in and for the District of Columbia, do hereby certify that the forgoing PROCEEDING was duly recorded and thereafter reduced to print under my direction; that the witnesses were sworn to tell the truth under penalty of perjury; that said transcript is a true record of the testimony given by witnesses; that I am neither counsel for, related to, nor employed by any of the parties to the action in which this proceeding was called; and, furthermore, that I am not a relative or employee of any attorney or counsel employed by the parties hereto, nor financially or otherwise interested in the outcome of this action.

Notary Public, in and for the District of Columbia

My Commission Expires: May 31, 2014

